

Page 2255

1           IN THE MATTER OF AN ARBITRATION  
2           BETWEEN

3 LANCE ARMSTRONG and     §  
4 TAILWIND SPORTS, INC.   §

5           §  
6 Claimants,           § ARBITRATION BEFORE THE  
7 VS.                   § HONORABLE RICHARD  
8                       § FAULKNER, RICHARD  
9                       § CHERNICK AND TED LYON  
10 SCA PROMOTIONS, INC. and §  
11 HAMMAN INSURANCE SERVICES, §  
12 INC.                   §

13           Respondents.

14           ARBITRATION  
15           TRANSCRIPT OF PROCEEDINGS  
16           JANUARY 18, 2006  
17           VOLUME 11  
18           CONFIDENTIAL

19           On 18th day of January, 2006, at 9:07  
20 a.m., the arbitration in the above proceedings came on  
21 before Arbitrators Richard Faulkner, Richard Chernick  
22 and Ted Lyon, at the offices of Richard Faulkner,  
23 12655 North Central Expressway, Suite 810, in the City  
24 of Dallas, County of Dallas, State of Texas.  
25

Page 2256

1           A P P E A R A N C E S  
2 FOR THE CLAIMANTS:  
3 Mr. Tim Herman  
4 Mr. Sean Breen  
5 HERMAN HOWRY & BREEN  
6 1900 Pearl Street  
7 Austin, Texas 78705-5408

8           Ms. Lisa Blue  
9 BARON & BUDD  
10 1100 Centrum Building  
11 3102 Oak Lawn Avenue  
12 Dallas, Texas 75219

13           Mr. Mark S. Levinstein  
14 WILLIAMS & CONNOLLY, L.L.P.  
15 725 Twelfth Street, N.W.  
16 Washington D.C. 20005

17 FOR THE RESPONDENTS:  
18 Mr. Jeffrey M. Tillotson  
19 Mr. Cody L. Towns  
20 LYNN TILLOTSON & PINKER, L.L.P.  
21 Suite 1400  
22 750 North St. Paul Street  
23 Dallas, Texas 75201

24 ALSO PRESENT:  
25 Ms. Mariela Evora  
Mr. Chris Compton  
Mr. John Bandy  
Mr. Robert Hamman  
Mr. Michael Ashenden  
Ms. Lynn G. Bone  
Mr. Joe Longley  
Mr. Lawrence Temple  
Ms. Marianne Ross  
Mr. Jeffrey Dorough  
Mr. James Stray-Gundersen, M.D.

Page 2257

1           I N D E X  
2 WITNESS                   PAGE  
3 DR. MICHAEL ASHENDEN, PH.D.  
4 DIRECT EXAMINATION BY MR. TOWNS     2311  
5 CROSS-EXAMINATION BY MR. LEVINSTEIN   2394

6 CLAIMANTS'  
7 EXHIBITS                   IDENTIFIED  
8 143 - Test Analyses                   2359  
9 RESPONDENTS'  
10 EXHIBITS                   IDENTIFIED

11 104 - Article, "Level Ground and Uphill  
12 Cycling Ability in Professional  
13 Road Cycling"                   2359

14 PREVIOUSLY MARKED  
15 RESPONDENTS'  
16 EXHIBITS                   IDENTIFIED

17 118 - Economy Calculations - Men Cyclists  
18 Senior Camp, Hunt, Texas (Feb '91)   2366  
19 PREVIOUSLY MARKED  
20 RESPONDENTS'  
21 EXHIBITS                   IDENTIFIED  
22 25 - Book excerpts                   2406  
23 33 - Article, "Improved Muscular Efficiency  
24 Displayed as Tour de France Champion  
25 Matures                   2344

26 44 - Test Results                   2376  
27 53 - Letter from Bill Stapleton       2378  
28 76 - Tour de France Stage Results     2387

Page 2258

1           P R O C E E D I N G S  
2           ARBITRATOR CHERNICK: We are on the  
3 record for purposes of going off the record.  
4 (Videotape deposition of Frankie Andreu  
5 was shown.)  
6           ARBITRATOR CHERNICK: I have one question  
7 about the time. At 4:18:28 there was a reference to  
8 pills that apparently was part of a prior question  
9 that was not part of the transcript, and I don't know  
10 whether that was inadvertently cut out or whether the  
11 pill reference is of no consequence. I just  
12 couldn't -- couldn't tell.  
13           MR. TILLOTSON: Just give me a second.  
14 I'll figure out what it is.  
15           ARBITRATOR CHERNICK: 4:18:28 is the time  
16 reference on the video.  
17           MR. HERMAN: I think the -- Mr. Armstrong  
18 was questioned about that and the caffeine issue  
19 but --  
20           MR. TILLOTSON: Before you --  
21           MR. HERMAN: Okay. Well, I -- but as I  
22 recall --  
23           MR. TILLOTSON: The question picks up  
24 from page 48, line 24.  
25           Question: Let's move away from the

Page 2259

1 hospital room. I want to focus on his career when he  
2 returned to professional cycling.  
3 Answer: While he was racing, no. I do  
4 not have any knowledge of him using any drugs.  
5 Question: Did Mr. Armstrong ever show  
6 you or point to anything you thought might be  
7 performance-enhancing drugs in his possession?  
8 Answer: Not that I remember, but repeat  
9 the question.  
10 Question: Did Mr. Armstrong ever show  
11 you anything that you thought might be a  
12 performance-enhancing drug?  
13 Answer: There was one time I remember.  
14 He had pills that he had on the bed that he talked  
15 about, that he would take these at different parts  
16 during the race, like, 50 kilometers to the end, 30  
17 kilometers to the end. I have absolutely no idea what  
18 they were, and that would be the only time I could  
19 think of that there, you know, may have been something  
20 where that could have been something, but I do not  
21 know what they were.  
22 Question: Do you remember when that took  
23 place?  
24 Answer: I would say, 1999. I want to  
25 say, it was a race in Spain, but I have no idea. I

Page 2260

1 couldn't even tell you what month.  
2 Question: What did the pills look like?  
3 I mean, were they --  
4 Answer: They were just an assortment of  
5 little round pills.  
6 Question: And what is it he described to  
7 you about them.  
8 Answer: That he would take them at  
9 different parts during the race, like, 100-K to go,  
10 50-K to go. And then, like, you know, 10-K to go,  
11 something like that.  
12 Question: Did he say where he got them  
13 from or who.  
14 Answer: I don't remember.  
15 Question: Who recommended them?  
16 Answer: I don't remember them.  
17 Question: Did he say what they were for?  
18 Answer: Yeah. To take at different  
19 parts during the race.  
20 Question: I mean, to --  
21 Answer: I don't know what they did.  
22 Question: Like, enhance endurance, to  
23 make him less tired?  
24 Answer: I'm not sure. I don't know.  
25 Question: How did this subject come up?

Page 2261

1 Why was he showing you this?  
2 Answer: We were talking and getting  
3 ready for the race, and he was kind of joking around,  
4 saying, this is for -- this is for 100-K to go. This  
5 is for 50-K to go. Just in kind -- statements like  
6 that.  
7 Question: Did he have anything like that  
8 where he showed you something he would take for his  
9 performance at a race ever happen again?  
10 Answer: No. That was the only incident  
11 I remember.  
12 That's the full.  
13 ARBITRATOR FAULKNER: Thank you.  
14 MR. TILLOTSON: I show it at page -- I'm  
15 not sure --  
16 ARBITRATOR CHERNICK: Starting on 48,  
17 line 24.  
18 MR. TILLOTSON: 48, line 24, and I read  
19 through 50, line 23.  
20 ARBITRATOR FAULKNER: 50, line 23?  
21 MR. TILLOTSON: Yes, yes.  
22 ARBITRATOR CHERNICK: We're going to take  
23 a short break before we start.  
24 ARBITRATOR FAULKNER: Hey, we -- let's  
25 take a quick break before we start with the next

Page 2262

1 witness.  
2 ARBITRATOR LYON: Who is the next  
3 witness?  
4 MR. TILLOTSON: If I could just lay out  
5 our layout for today to take us through the rest of  
6 the hearing. I would like to play the -- the tape of  
7 Stapleton, the entirety of the tape so the Panel hears  
8 it with the transcript.  
9 I would look also to, then, offer  
10 excerpts from the -- the telephone tape of Stephanie  
11 McIlvain, which I'll try to work with Mr. Herman about  
12 what to do on that. Then we have a tape of Greg  
13 LeMond, and we're calling Mike Ashenden, and then  
14 either John Bandy, if we have time today, and then  
15 David Walsh tomorrow. Or if we don't, then we'll  
16 start with Mr. Walsh to ensure we get on, and then  
17 finish with Mr. Bandy.  
18 ARBITRATOR LYON: You want to play the  
19 tape that we've already got a copy of?  
20 MR. TILLOTSON: Yes. The reason why,  
21 Senator -- I'm not trying to -- to kill time. The  
22 reason why is because there are portions of the  
23 transcript which say inaudible, which I think you can  
24 actually hear what they're saying, and I want to -- I  
25 want to have at least one opportunity where that is

Page 2263

1 played -- I don't think it's terribly lengthy -- where  
 2 that is played where the Panel sees and hears that.  
 3 ARBITRATOR CHERNICK: Oh, so when you  
 4 said Stapleton, you're talking about the tape  
 5 recording, not his deposition?  
 6 MR. TILLOTSON: The actual tape  
 7 recording, not his deposition. The actual tape  
 8 recording that we've been talking about. We've never  
 9 really presented it, except questioning witnesses  
 10 about it. And there are portions in there where it  
 11 says, inaudible, but you can read it.  
 12 I think in fairness to -- to hearing what  
 13 was being said, since there's a dispute between the  
 14 witness about what was said, to hear that tape.  
 15 ARBITRATOR CHERNICK: Could I make a  
 16 suggestion?  
 17 MR. TILLOTSON:  
 18 ARBITRATOR CHERNICK: We -- we have that  
 19 tape in CD.  
 20 MR. TILLOTSON: That's correct.  
 21 MR. CHERNICK: From my perspective, I'd  
 22 rather just take it someplace and play it myself, and  
 23 I can run it back and run it forward and run it back  
 24 and run it forward to be able to hear what I hear,  
 25 rather than just playing it through here. I'm not

Page 2264

1 sure that this is the best place to do that.  
 2 MR. TILLOTSON: Well, what -- whatever  
 3 the Panel pleases, in terms of the best way to hear.  
 4 I mean --  
 5 ARBITRATOR LYON: Well, I think it's a  
 6 waste of time to play it because we're all going to  
 7 listen to it anyway.  
 8 ARBITRATOR FAULKNER: I'm going to be  
 9 listening to it on my own computer and earphones, as  
 10 well.  
 11 MR. TILLOTSON: Okay.  
 12 ARBITRATOR CHERNICK: I agree with your  
 13 comment.  
 14 MR. TILLOTSON: The only problem I was  
 15 trying to make is, in the transcript, there are a  
 16 couple of key sections where it says, inaudible, where  
 17 I think if you listened to the tape -- our position  
 18 is, if you listen to the tape, it's pretty clear what  
 19 he's saying, and that plays into a material dispute  
 20 regarding Mr. Stapleton's testimony. I don't want to  
 21 waste anyone's time, but that was the point in us  
 22 trying to say we should play the tape.  
 23 ARBITRATOR FAULKNER: As long as all  
 24 three of us listen to the tape that y'all have  
 25 furnished to us on CD, does that satisfy your --

Page 2265

1 MR. TILLOTSON: I believe my point's been  
 2 made with respect to that, so of course. Of course.  
 3 ARBITRATOR FAULKNER: Okay.  
 4 ARBITRATOR CHERNICK: And then you -- you  
 5 know, you're free to argue at whatever point you want  
 6 that the tape says something that you believe it says,  
 7 and we'll -- we'll have to be the judge of that based  
 8 on our playing individually.  
 9 MR. TILLOTSON: Fair enough. I  
 10 appreciate that.  
 11 ARBITRATOR LYON: So then you're going to  
 12 do what?  
 13 MR. TILLOTSON: I then want to offer  
 14 certain excerpts from the tape between Greg LeMond and  
 15 Stephanie McIlvain. The problem with that is that  
 16 we've provided you -- been provided a tape that's on a  
 17 cassette tape that we've have -- we haven't been able  
 18 to slice it apart, so I would propose we have a  
 19 transcript of either offering those portions of the  
 20 transcript to the Panel or -- or reading them into the  
 21 record, whatever the Panel pleases.  
 22 MR. HERMAN: Well, we -- we've got the  
 23 same issue on -- on -- on that tape as we do  
 24 otherwise. Mr. Tillotson and I talked about it, and  
 25 I -- I had thought that we had worked out an agreement

Page 2266

1 on that, so before we get to that issue, perhaps Mr.  
 2 Tillotson and I will have an opportunity to confer.  
 3 MR. TILLOTSON: I -- I've -- yes. We --  
 4 we can address it and take -- I've looked at the  
 5 entire and read the entire tape. I've listened to the  
 6 entire tape.  
 7 There are certain portions of the tape  
 8 which I have tried to limit to the statements of  
 9 Stephanie McIlvain which we believe are highly  
 10 relevant to the testimony given here, and I have tried  
 11 to -- to excerpt from the tape itself just those  
 12 statements from Ms. McIlvain that contradict her  
 13 deposition testimony that we played yesterday.  
 14 There's a lot of other statements on  
 15 there from Ms. McIlvain and many statements from  
 16 Mr. LeMond, which we don't intend to offer. I don't  
 17 have any problem with the entire tape being presented  
 18 to the Panel.  
 19 But in an effort to resolve some of the  
 20 concerns that -- that Mr. Herman might have, I've  
 21 tried not to include anything from Mr. LeMond that  
 22 would be considered evidence, except as a predicate  
 23 question that Ms. McIlvain answers or as he says, yeah  
 24 or right or whatever.  
 25 So we're not offering the tape for any

Page 2267

1 evidence for Mr. LeMond as to things he saw or did or  
 2 his opinions. Just simply testimony from -- from  
 3 Stephanie McIlvain that contradicts her prior sworn  
 4 testimony. I'll be happy to provide those excerpts  
 5 for Mr. Herman and see if we can agree, that we can  
 6 offer those excerpts, and I will propose just  
 7 excerpting them out, literally retyping them and  
 8 making that an exhibit and present it.  
 9 MR. HERMAN: That's fine, if you want to  
 10 submit them to me. But, frankly, Ms. McIlvain's  
 11 lawyer in California is aware that doing that tape  
 12 constitutes a felony in California, making it, and he  
 13 has indicated to me that he intends to take some  
 14 action, which he's entitled to do either in the  
 15 California courts or notify you-all.  
 16 But we -- we just now became aware that  
 17 the tape existed, so I've tried to work out -- which I  
 18 thought I had worked out -- an acceptable stipulation  
 19 with Mr. Tillotson to avoid either having a collateral  
 20 proceeding filed by -- either by Ms. McIlvain's  
 21 attorney in California or the prosecutor in  
 22 Minneapolis or the prosecutor in California.  
 23 So I just -- I think we ought to be given  
 24 an opportunity to work out a stipulation before we get  
 25 at lot of people in trouble.

Page 2268

1 ARBITRATOR FAULKNER: Why don't you two  
 2 chat because this issue has come up --  
 3 ARBITRATOR LYON: There's plenty of time  
 4 to do that over the lunch hour.  
 5 MR. HERMAN: Sure. Oh, yeah. Sure.  
 6 ARBITRATOR LYON: You've got other  
 7 witnesses --  
 8 ARBITRATOR CHERNICK: Could I just ask  
 9 one question? Mr. LeMond was in Minneapolis?  
 10 MR. HERMAN: Right.  
 11 ARBITRATOR CHERNICK: And in a telephone  
 12 conversation with Ms. McIlvain, he taped her  
 13 statements from California --  
 14 MR. HERMAN: Right.  
 15 ARBITRATOR CHERNICK: -- while he was  
 16 sitting in Minneapolis?  
 17 MR. HERMAN: Right.  
 18 MR. BREEN: And indicated to her he  
 19 wasn't taping her, and the case law that we have --  
 20 we'd be glad to provide to the Panel -- indicates that  
 21 not only is that against the law in California but it  
 22 doesn't matter that he's out of state. California law  
 23 still applies to him when he does that, and we have  
 24 cases that show that.  
 25 It also indicates that the tape is not

Page 2269

1 admissible for any purposes, other than a prosecution  
 2 of statute and that Ms. McIlvain has the right to an  
 3 injunction to prevent the dissemination of playing of  
 4 the tape, which, of course, makes sense since it was  
 5 made against the law.  
 6 So we have authority to obtain that, if  
 7 the Panel want to see that.  
 8 MR. HERMAN: But the main thing is, is  
 9 that here we are dealing with two people that aren't  
 10 here, that there may be some -- you know, some really  
 11 bad consequences for either or both of them, and both  
 12 of them are represented by counsel, and the -- at  
 13 least Ms. McIlvain's counsel has told me that he is  
 14 going to do whatever he needs to do make sure that  
 15 either Mr. LeMond's prosecuted and/or that, you know,  
 16 he gets some sort of injunctive relief in California.  
 17 So I just think it's really improper  
 18 for -- for either Mr. Tillotson or I to put those  
 19 people at risk if we can work out a stipulation.  
 20 ARBITRATOR FAULKNER: Okay. Gentlemen,  
 21 it was so much easier when I was a prosecutor and just  
 22 had warrant to play with recording devices. Okay.  
 23 Guys, y'all chat and see what you can work out, and  
 24 then we'll deal with it, if we have to deal with it.  
 25 And it sounds, from what you're telling

Page 2270

1 me, that they've already got their own probably  
 2 invitations to discussions with, you know, agencies of  
 3 the state or federal government. That's their  
 4 problem. We don't need to deal with it. If you guys  
 5 can, work out a stipulation. If you can't, then we'll  
 6 decide what is appropriate here. Any other issues  
 7 before we take our break?  
 8 ARBITRATOR CHERNICK: Did the National  
 9 Security Administration pick up this --  
 10 ARBITRATOR FAULKNER: Oh, hell, they  
 11 probably did, but, you know, who knows. You know,  
 12 that really stands for no such agency.  
 13 MR. TILLOTSON: Then in -- then I would  
 14 like to, then, move on and play Greg LeMond's tape,  
 15 and then we'd move to live witnesses.  
 16 ARBITRATOR CHERNICK: What, his  
 17 deposition?  
 18 MR. TILLOTSON: His deposition, yes.  
 19 ARBITRATOR CHERNICK: And how long is  
 20 that?  
 21 MR. TILLOTSON: Is it 30 minutes? It's  
 22 not long. I'd like to get all the tapes out of the  
 23 way and then get to live witnesses.  
 24 MS. EVORA: Forty minutes.  
 25 MR. TILLOTSON: Forty minutes to go.

Pages 2267 to 2270

Page 2271

1 MR. HERMAN: You've got my designations  
 2 there, too? Okay.  
 3 MR. TILLOTSON: Just so that -- because  
 4 my order's a little wacky, given the issues. We would  
 5 normally, then, play the tape. We would want to offer  
 6 Stephanie McIlvain and play Greg LeMond. That would  
 7 conclude that block of witnesses on that subject.  
 8 We'd then go to our expert, and then put Mr. Bandy --  
 9 but we'll -- we'll offer that evidence as we make the  
 10 progress. But that was our thought in terms of the  
 11 presentation of our case.  
 12 I think we're still well within the  
 13 guidelines that I laid out for our day.  
 14 (Off-the-record discussion.)  
 15 (Videotape deposition of Greg LeMond was  
 16 shown.)  
 17 ARBITRATOR FAULKNER: Is that the end of  
 18 the deposition?  
 19 MS. BONE: It is.  
 20 ARBITRATOR FAULKNER: It's 11:30. What  
 21 do you wish to do next, gentlemen?  
 22 MR. TILLOTSON: I'm not trying to  
 23 influence you. Lunch is here, though.  
 24 ARBITRATOR FAULKNER: Oh, okay. That is  
 25 influencing us. Okay. So we'll break for lunch?

Page 2272

1 MR. TILLOTSON: We're either prepared to  
 2 start Mike Ashenden and go till noon, or break for  
 3 lunch now and pick up at 12:30 or 12:45.  
 4 MR. HERMAN: I mean, if the -- you don't  
 5 want to keep the guy hanging around here, so you  
 6 might --  
 7 ARBITRATOR FAULKNER: Why don't we go  
 8 ahead and --  
 9 MR. TILLOTSON: Let me just confirm that  
 10 that's right. My secretary sent me an e-mail. He is  
 11 here?  
 12 MR. TOWNS: Yeah.  
 13 MR. TILLOTSON: So we're prepared to  
 14 start and do Mike.  
 15 ARBITRATOR FAULKNER: Why don't we break  
 16 for lunch and start at 12:30 so we can, you know, move  
 17 this along.  
 18 (Break from 11:34 a.m. to 12:34.)  
 19 MR. TILLOTSON: The Claimants have --  
 20 have given us the resume background information for  
 21 rebuttal expert, Mr. Gundersen -- I think it's a  
 22 hyphenated name, so I apologize -- who we object to  
 23 his designation as rebuttal expert, and our basis for  
 24 the objection to that designation is that we believe  
 25 it was untimely, and we have been provided no fair

Page 2273

1 opportunity and neither been able to examine or deal  
 2 with that expert.  
 3 And so the Panel knows, we did confirm  
 4 that we designated our expert witness, Dr. Ashenden,  
 5 first with a disclosure of his designation. That  
 6 designation did include that he would testify  
 7 regarding the L'Equipe article and test results.  
 8 After Dr. Ashenden was designated,  
 9 Claimants then made their designations, and  
 10 Mr. Gundersen was not one of those designations. And  
 11 when the case was continued in December to the January  
 12 setting, they redesignated, dropping Mr. Carmichael,  
 13 and adding Mr. Kearney as an expert, which we did not  
 14 object to.  
 15 We were able to depose both of their  
 16 experts, Dr. Kearney and Dr. Carmichael, the Friday  
 17 before the trial setting and Friday before we started,  
 18 and they had deposed Dr. Ashenden before that, and at  
 19 no time did they tell us that they needed or wanted a  
 20 rebuttal expert.  
 21 And we were not notified that they  
 22 planned on calling a rebuttal expert until the Monday  
 23 before the trial commenced, at which point we were  
 24 given a resume but still to this date have no  
 25 designation even as to what their rebutted expert is

Page 2274

1 presumably going to say.  
 2 The reason I raise that now instead of  
 3 when they call their rebuttal expert is their rebuttal  
 4 expert is here. And if the Panel does not allow his  
 5 testimony, I would ask that he not be allowed to  
 6 participate in the proceedings and hear the testimony.  
 7 And the reason why is because this particular  
 8 individual is involved in connection with the  
 9 representation of Tyler Hamilton and his opinion, and  
 10 I believe it would be inappropriate if he's not going  
 11 to testify in this proceeding.  
 12 He can, nevertheless, sit in here and  
 13 hear and provide questioning or information that he  
 14 could then ultimately use in the Tyler Hamilton  
 15 proceeding. So I think this is an issue that needs to  
 16 be raised with Mr. Gundersen and his ability to  
 17 testify in this proceeding.  
 18 And as I've -- as I've told Mr. Chernick,  
 19 in fairness, rebuttal experts -- of course, people  
 20 have the opportunity to -- to rebut, but they got to  
 21 designate after I told them what Dr. Ashenden was  
 22 going to say. They also got to depose Dr. Ashenden,  
 23 and at no time during any of that did they ever  
 24 indicate that they were going to be using someone else  
 25 on this particular subject matter.

Page 2275

1 And even today, although they've given me  
2 the guy's name and resume, they've never told me  
3 actually what he's going to say, so I have no ability  
4 to -- to -- to even know what it's about, other than  
5 it's on this documented L'Equipe article.  
6 So we would object to the use of that  
7 expert and his designation, and we would ask that, if  
8 the Panel so inclined, to strike him and he not be  
9 allowed to be in these proceedings given his -- his  
10 role in the Tyler Hamilton matter.  
11 ARBITRATOR FAULKNER: Would you tell me a  
12 little bit more about what -- what is the status of  
13 the Tyler Hamilton matter? I know who Tyler Hamilton  
14 is from earlier references. What is the status of  
15 that because you said the word "appeal."  
16 MR. TILLOTSON: Well, he was found --  
17 sanctioned for a doping offense, and he took that  
18 matter he appealed it to -- to CAS, Court of  
19 Arbitration for Sport, and there has been testimony  
20 and evidence received on that, and I believe -- is --  
21 is it under submission at this time or the status --  
22 MR. LEVINSTEIN: I can address that, if  
23 you'd like.  
24 MR. TILLOTSON: Okay. Well, I mean, I --  
25 but if you're involved, then sure, go ahead.

Page 2276

1 MR. LEVINSTEIN: I'm also counsel for the  
2 US Olympic Committee so --  
3 ARBITRATOR FAULKNER: Okay. Would you go  
4 ahead and identify yourself for the record so --  
5 MR. LEVINSTEIN: Mark Levinstein of the  
6 Law Firm of Williams and Connolly, L.L.P. from  
7 Washington D.C.  
8 ARBITRATOR FAULKNER: All right.  
9 MR. LEVINSTEIN: Tyler Hamilton initially  
10 had an appeal under the Ted Stephens Olympic and  
11 amateur Sports Act to the AAA with court of  
12 Arbitration for Sport Arbitrators serving as the  
13 Panel.  
14 He was found guilty by two-to-one  
15 decision. It was then appealed to the Court of  
16 Arbitration for Sport itself out of Lausanne. The  
17 hearing was held last Tuesday, a week ago yesterday,  
18 eight days ago in Denver. The case is over, and  
19 within four weeks, there will be a decision. But  
20 there are no more proceedings in Tyler Hamilton. It's  
21 under submission to the Panel.  
22 ARBITRATOR FAULKNER: Well, that's what I  
23 was trying to figure out, if there would be any  
24 further testimony in the case, when you said, appeal.  
25 Jeff, that's what I was wondering about.

Page 2277

1 MR. LEVINSTEIN: They have closed the  
2 record. They had closing arguments. They've made it  
3 clear. They'll issue their decision in maybe one or  
4 two weeks but, at most, four weeks, and the case is  
5 closed.  
6 ARBITRATOR FAULKNER: Okay. Does that  
7 change any of y'all's position?  
8 MR. TILLOTSON: I believe it's  
9 inappropriate for anyone to be in here listening to  
10 this testimony that is not going to be serving as a  
11 witness, and we believe any expert witnesses who are  
12 going to testify not hear the testimony.  
13 ARBITRATOR CHERNICK: Well, this is  
14 wagging the tail by the dog. I mean, either he is or  
15 is not a rebuttal witness. If he's not a rebuttal  
16 witness, he shouldn't be here whether he's involved  
17 with Tyler Hamilton or not.  
18 MR. TILLOTSON: Correct. The Claimants  
19 asked for that extremely -- what I view as an  
20 extremely restrictive protective order. The Panel  
21 granted it, and I believe if he's not an expert  
22 witness, then he should not be permitted to attend.  
23 ARBITRATOR FAULKNER: We know what's in  
24 the order, and so we understand that. Do you guys  
25 want to -- anything else you fellows have to add?

Page 2278

1 MR. HERMAN: Yes, definitely. As to his  
2 substitution of attorney for Carmichael, that was  
3 required because we -- when Mr. Carmichael was made  
4 available for his deposition, Mr. Tillotson couldn't  
5 do it. And then when the -- then when SCA changed the  
6 day of the hearing, Mr. Carmichael's schedule kept him  
7 from being here, so Mr. Kearney who works with  
8 Mr. Carmichael -- or Dr. Kearney -- was -- stepped in  
9 to do -- to -- to provide the expert testimony.  
10 As Mr. Tillotson said, that  
11 Dr. Stray-Gundersen is a rebuttal expert. We took  
12 Dr. Ashenden's deposition. We weren't even able to  
13 complete it, and it was never reconvened. We had no  
14 idea that Dr. Ashenden was going to somehow try to  
15 vouch for -- for lab results with which he has no  
16 connection, top side or bottom, and it was -- we  
17 didn't have until Mr. -- Dr. Ashenden's deposition, we  
18 didn't have the intention of -- or we didn't know that  
19 we would need a rebuttal expert.  
20 But when we gave Mr. Tillotson his --  
21 the -- the CV -- and we discussed this issue last  
22 week. We clearly indicated that we would make  
23 Dr. Stray-Gundersen available for his deposition at  
24 any time before and after business hours, on the  
25 weekend when -- under the circumstances that we

Page 2279

1 deposed Mr. Swart, who was the Respondents' witness  
2 who was here, and, you know, we were easily able to  
3 depose him and present his testimony to the Panel.  
4 And -- and they've had adequate notice.  
5 They've had the opportunity to depose him, and we -- I  
6 mean, we've heard nothing from -- from the other side  
7 since -- well, for 10 days, since we -- since we  
8 first -- or whenever it was that we -- we gave the --  
9 we gave the CV out. I can't remember now. I don't  
10 know when it was but --  
11 ARBITRATOR CHERNICK: So is there any  
12 indication with the subject matter of the expert's  
13 testimony?  
14 MR. HERMAN: No. But we clearly made  
15 it -- we clearly made it clear that  
16 Dr. Stray-Gundersen was going to be a rebuttal expert  
17 to Dr. Ashenden. So, you know, his testimony would be  
18 confined to the subject matter that Dr. Ashenden is  
19 testifying to, but we'd be happy to -- to submit a  
20 designation. I mean, it's -- it's -- it's clear,  
21 though. I mean, it's clear by everyone's  
22 understanding that Dr. Ashenden's testimony is going  
23 to be the subject of Dr. Stray-Gundersen's testimony.  
24 MR. BREEN: If I might add, too,  
25 procedurally what happened, too. These, quote/unquote

Page 2280

1 designations that we're talking about, as the Panel  
2 remembers -- as we were racing break-neck pace for the  
3 setting, the designations that we're talking about is  
4 two or three sentences at the most.  
5 No detail in terms of substantive  
6 opinion. It's like a state court designation, as  
7 opposed to a federal. There's no reports which was  
8 done at the request of SCA. We agreed to do that.  
9 Let's designate in a general sense and have a  
10 deposition.  
11 The Panel will recall, we were not even  
12 provided Dr. Ashenden's file literally till -- a  
13 portion of it -- three documents out of it -- the  
14 night before his deposition, and then the day of his  
15 deposition, we were given the quote/unquote 99 test  
16 documents. So we didn't even have those to know  
17 that -- what in any context Dr. Ashenden may be  
18 rendering an opinion on before literally his  
19 deposition started.  
20 Then once his deposition started, it was  
21 clear that we still didn't have all his file, which  
22 has not been produced to date. His entire file has  
23 not been given us despite our request and a commitment  
24 in the deposition that it would be. So it's a little  
25 bit one-sided to argue that somehow this is an ambush

Page 2281

1 on our part to designate a rebuttal expert when we  
2 didn't even get his file or really his designation  
3 until right when his deposition began and then  
4 promptly had to move after that to try to find  
5 somebody who was going cover this, quote/unquote, 99  
6 research which isn't even an area that Dr. Ashenden  
7 has expertise in.  
8 ARBITRATOR CHERNICK: Are you prepared to  
9 limit in any way the scope of the rebuttal testimony,  
10 or are you simply offering him as a rebuttal expert to  
11 whatever Dr. Ashenden's is?  
12 MR. BREEN: Well, we certainly don't need  
13 to respond to everything that Dr. Ashenden says, and  
14 obviously we'll be more than happy to limit it to the  
15 really material -- what we believe are the material  
16 issues to the case out of what Dr. Ashenden says.  
17 So if your question to us, Mr. Chernick,  
18 is, are we just trying to bootstrap in another big  
19 general expert to come in, the answer to that is, no.  
20 He's clearly going to be confined to the scope of  
21 these issues that we really haven't, even today, had  
22 full knowledge about what Dr. Ashenden is going to  
23 testify to.  
24 ARBITRATOR CHERNICK: No. My -- my -- my  
25 question, I think, was prompted by something

Page 2282

1 Mr. Tillotson said. It was at least his impression  
2 that the reason you were designating another expert  
3 was because you were surprised that Dr. Ashenden was  
4 going to be talking about the L'Equipe testing  
5 samples.  
6 MR. BREEN: Well, I certainly understand  
7 that Mr. Tillotson making an argument of that. I  
8 mean, that's not --  
9 ARBITRATOR CHERNICK: So you are -- what  
10 you're -- what you are going to do is -- to the extent  
11 necessary and to the extent you need to respond to  
12 anything that Dr. Ashenden says is offer your rebuttal  
13 expert for rebuttal on those subjects?  
14 MR. BREEN: Correct.  
15 MR. HERMAN: Well, and -- and -- and to  
16 that -- along those lines, we'll be happy to prepare a  
17 rebuttal expert designation and give it to Tillotson  
18 by the end of the day, so that's -- if that's a  
19 problem, that he doesn't know -- I mean, it's --  
20 it's -- it's pretty clear, but I should also -- also  
21 mention that whether he was retained to testify as an  
22 expert or not, even if he was a consulting expert,  
23 he -- he would be entitled to listen to the testimony  
24 as a consulting expert.  
25 MR. BREEN: He signed the order that the

Page 2283

1 Panel issued saying he has to keep the information  
2 confidential. We certainly have the right and  
3 obviously we believe we have the right to designate  
4 him as rebuttal expert. But even if the alternative  
5 position was that that weren't the case, he certainly  
6 has the right and we have the right to have a purely  
7 consulting expert in the case.  
8 There's nothing -- I mean, for instance,  
9 Dr. Ashenden, I believe, testified in the Tyler  
10 Hampton case, so if we're going to argue that  
11 participation in the Tyler Hamilton case disqualifies  
12 you from here, then I suggest we look at goose V.  
13 gander.  
14 ARBITRATOR FAULKNER: Okay. Is that a  
15 formal cite?  
16 MR. TILLOTSON: If I could just clarify  
17 and put together time frame because this has been  
18 pushed together. We designated Dr. Ashenden before  
19 they designated their expert either at the end of  
20 November or the very first week of December. That  
21 designation -- which we had specifically mentioned  
22 among one of the top theories that he was going to be  
23 offering expert testimony about was the L'Equipe  
24 article and the test results in the L'Equipe article.  
25 It's after that they got opportunity to

Page 2284

1 designate to counter whatever Dr. Ashenden was going  
2 to say, and they designated the two experts that they  
3 did. And Dr. Ashenden was deposed on 12/22, and he  
4 was questioned about these matters.  
5 The deposition did not conclude because  
6 it was being videoed with -- with Mike Ashenden in  
7 Australia and then Connolly in Washington and us in  
8 Dallas and which he produced some materials. And then  
9 later he produced all of his file before the start of  
10 this proceeding, and he was tendered again for  
11 completion of his deposition on January 6th, after we  
12 completed the deposition of their experts. They  
13 elected not to ask him any questions at that time.  
14 So that's the time frame, so they've  
15 known since the designation at the end of November or  
16 the first of December that he was going to talk about  
17 the L'Equipe story, and they deposed him and asked him  
18 about that -- specific questions about that on 12/22.  
19 We deposed their experts on 1/6. We tendered him  
20 again on 1/6. They asked no questions.  
21 We show up here on 1/9, and they tell us  
22 we're very surprised. Here's our rebuttal expert.  
23 And at no time when I designated him, when he was  
24 deposed, or when we went down and took their experts  
25 and we tendered Dr. Ashenden for further questions did

Page 2285

1 they ever even say that we're contemplating getting a  
2 rebuttal expert because I would have treated it  
3 like -- exactly like I've treated their switching  
4 Carmichael for Kearney, okay.  
5 I understand things are moving. I'll  
6 just deal with Kearney. Don't worry. And if I -- and  
7 I raise the Kearney issue not because I'm complaining  
8 about it but to show that we were worked within the  
9 bounds, the scheduling order, and the requirements of  
10 parties, and I didn't have any objection to them  
11 switching out experts based on schedules, on their  
12 topics so long as I had the opportunity to deal with  
13 it.  
14 But showing up on the day of trial with a  
15 rebuttal expert, giving me the resume, and saying,  
16 we -- we -- we can cure everything if you come over on  
17 Saturday and take his deposition is fundamentally  
18 unfair, particularly when they got to designate after  
19 me. The one they were rebutting is themselves because  
20 they knew what my guy was going to say at the time  
21 that they designated him.  
22 So I think it's materially unfair. It  
23 puts an enormous burden on us, and I don't think it's  
24 appropriate for that particular witness to testify in  
25 these proceedings, and if he's not testifying, then I

Page 2286

1 think he needs to be excused from these proceedings.  
2 ARBITRATOR FAULKNER: Okay. Senator, you  
3 have a question?  
4 ARBITRATOR LYON: They answered it. I  
5 wanted to know if he was going to testify about urine  
6 samples, and he is; right?  
7 MR. TILLOTSON: He is. I have a copy of  
8 our designation, if you want to see it. I don't  
9 believe the Panel ruled on this issue.  
10 ARBITRATOR CHERNICK: That was -- that  
11 was the issue of National --  
12 ARBITRATOR LYON: No. It was -- they  
13 started passing around the resume of that doctor,  
14 Whoever he is.  
15 (Break from 12:50 p.m. to 12:54 p.m.)  
16 ARBITRATOR FAULKNER: All right. After  
17 listening to the argument from both of y'all and  
18 knowing what is in our own record, here is the  
19 decision. It's a two-to-one decision with Mr.  
20 Chernick dissenting. We will proceed with Dr.  
21 Ashenden. I hope I'm not mispronouncing your name.  
22 And we want a complete specification of the scope of  
23 the proposed testimony from Claimants' expert.  
24 Mr. Tillotson --  
25 MR. TILLOTSON: By the end of the day.

Page 2287

1 ARBITRATOR FAULKNER: -- by the end of  
 2 the day -- end of the testimony.  
 3 Mr. Tillotson will have an opportunity to  
 4 depose Dr. Gundersen at a mutually convenient time  
 5 with counsel and the witness, and Dr. Gundersen will  
 6 be staying for the testimony of Dr. Ashenden. Okay.  
 7 ARBITRATOR CHERNICK: And mutually  
 8 convenient might mean something after this hearing --  
 9 ARBITRATOR FAULKNER: Yes.  
 10 ARBITRATOR CHERNICK: -- once we've  
 11 concluded.  
 12 ARBITRATOR FAULKNER: You do not have to  
 13 do it by the end of -- of Friday, gentlemen because we  
 14 know we're going to be hearing other things. We'll  
 15 have other evidence coming in later, so work out a  
 16 time that's convenient for you-all. You don't have to  
 17 kill yourself trying to getting it done.  
 18 Okay. Any other issues before we  
 19 proceed?  
 20 MR. HERMAN: Yes. I -- I think that  
 21 my -- it might be a good time to take this up. Are  
 22 you calling Dr. Ashenden next?  
 23 MR. TILLOTSON: Yes, we are.  
 24 MR. HERMAN: Okay. Well, I think  
 25 probably it'd be a good idea for us to address this

Page 2288

1 whole -- this whole issue of these alleged 99 samples  
 2 because, I mean, I can solve a lot of the problems  
 3 here.  
 4 What the Respondents have provided to us  
 5 is a summary of -- of test results from these -- this  
 6 research project that was undertaken in France.  
 7 The -- under the fundamental rules of -- of evidence,  
 8 there's a relaxation of the rules of -- of  
 9 authentication and admissibility when you deal with  
 10 summaries under Rule 1006, but as an absolute  
 11 prerequisite for that, the underlying documents  
 12 from -- which are summarized, have to be provided to  
 13 the other party for review of cross-examination and so  
 14 forth.  
 15 Now, what they're proposing to have  
 16 Dr. Ashenden testify about is a summary sheet of this  
 17 alleged test result which tests were conducted as part  
 18 of a research project which is absolutely prohibited  
 19 by the WADA Code, by the UCI, by -- and by USADA --  
 20 United States Antidoping Agency, as well as the World  
 21 Antidoping Agency. And they have never presented to  
 22 us the originals of or copies of the documents which  
 23 their test results purport to summarize.  
 24 Now, I'm holding in my hand a document  
 25 which is about 40 or -- 40 pages long. This is --

Page 2289

1 these are test results from one test -- one EPO test.  
 2 There are 98 tests that are contained in this one page  
 3 that Dr. Ashenden is going to purport to testify  
 4 about, testify about the procedures and so forth.  
 5 He lives in Australia. The tests are in  
 6 France. He's got no association with the lab in  
 7 France. He's got no idea where these documents are or  
 8 whether the summary even accurately reflects what the  
 9 real documents show.  
 10 So in the first place, by definition, a  
 11 summary cannot be considered for any purpose without a  
 12 provision of the underlying documents. That's just a  
 13 fundamental rule. The second thing is, is that these  
 14 alleged test results were conducted in violation of --  
 15 of the WADA Code, and you saw the code which prohibits  
 16 the use of any athletes' alleged samples without the  
 17 athletes' expressed written consent. That's -- that's  
 18 for starters.  
 19 The second thing is -- or -- or the  
 20 second area of why these -- why these test results are  
 21 completely inadmissible and cannot be considered for  
 22 any purpose is they relate to '99 allegedly, which is  
 23 two years before this company ever had anything to do  
 24 with anything, before they took on any liability, and  
 25 is far beyond the scope of the contract at issue.

Page 2290

1 As a matter of law and as confirmed by  
 2 WADA and even L'Equipe, that the test results cannot  
 3 be used for any purpose. They cannot be used for  
 4 sanctioning the athlete. They cannot be used to strip  
 5 his title. They can't be used for any punitive  
 6 measure. They -- there is only the "B" sample that  
 7 was tested under the WADA Code and under everything.  
 8 There is a very good reason why there is  
 9 an "A" sample, a "B" sample. The "A" sample must be  
 10 tested in accordance with protocol. If there is an  
 11 adverse finding, then the athlete and his  
 12 representative are notified and given the -- they  
 13 are -- mandatorily must be provided access to the "B"  
 14 sample and the -- and the -- and the testing of the  
 15 "B" sample in their presence.  
 16 There's a really good reason for that,  
 17 and these '99 test results illustrate the very best  
 18 reason for it, which is that in a research project  
 19 like this where they're spiking EPO samples in order  
 20 to -- to construct a control group against which to  
 21 measure other samples, the -- the -- the athlete has  
 22 no way to defend himself because there is no sample  
 23 left.  
 24 It's a violation of the fundamental  
 25 process by the -- by the doping agencies, not to

Page 2291

1 mention that Dr. Ashenden has no personal knowledge  
 2 nor are there any documents.  
 3 Now, this -- this document here contains  
 4 about eight pages of --  
 5 ARBITRATOR CHERNICK: Can you tell us  
 6 what we're looking at?  
 7 MR. TILLOTSON: What is this because I  
 8 don't -- I have no idea what it is.  
 9 MR. HERMAN: This is -- this is the  
 10 result -- these are the test results of an EPO test  
 11 conducted at USADA, at -- at the University of  
 12 California at Los Angeles. It's an -- it's an  
 13 exemplar of -- but the point is, there are some seven  
 14 or eight pages for this single sample that contain  
 15 chain of custody documents.  
 16 Now, for the old PBS member here on the  
 17 Panel, there is absolute requirement for internal  
 18 chain of custody. Every time -- every time this  
 19 particular sample was touched, it was required that  
 20 whoever touched it reported the -- what they did with  
 21 it, who touched it, and so forth.  
 22 Now, Dr. Ashenden -- and I think we'll  
 23 all stipulate that, you know, in his deposition, how  
 24 do you know that this is reliable? I had dinner with  
 25 the guy from the French lab, and he told me everything

Page 2292

1 was up to snuff. And this is the point why the  
 2 summary cannot be admissible without the underlying  
 3 documents, just like you couldn't summarize a -- a --  
 4 a string of transactions in an accounting fraud suit  
 5 or any other kind of suit without the underlying  
 6 documents reflecting the transactions.  
 7 So leaving aside the fact that there is  
 8 no err to dissemble, leaving aside the fact that  
 9 there -- that by definition, these can't be used for  
 10 any sanction, they cannot strip the title, and they  
 11 don't even relate to the years in question anyway,  
 12 that any proposed testimony by Dr. Ashenden or anyone,  
 13 except somebody from that French lab who's in  
 14 possession of the underlying documents is -- is  
 15 fundamentally and -- fundamentally unfair, and it's --  
 16 if there was ever anything unduly prejudicial, this  
 17 would have to be it.  
 18 So they cannot possibly lay the  
 19 foundation or the predicate for the consideration of  
 20 these testaments top side or bottom. Now, there are  
 21 many other issues involved, but -- but -- but that's  
 22 for starters.  
 23 So we -- we submit that any testimony by  
 24 Dr. Ashenden or anyone else, other than some --  
 25 somebody who has some firsthand knowledge about the

Pages 2291 to 2294

Page 2293

1 processes that were involved, particularly given the  
 2 highly prejudicial nature of what conclusions and  
 3 inferences and deductions they're going to ask the  
 4 Panel to draw is -- is just fundamentally unfair and  
 5 prejudicial to the Claimants, and we submit it's far  
 6 beyond the pale and far beyond the bounds of what any  
 7 decision-maker would or could rely upon.  
 8 ARBITRATOR FAULKNER: Reply,  
 9 Mr. Tillotson?  
 10 MR. TILLOTSON: Well, 98 percent of that,  
 11 to me, sounded like it was cross-examination  
 12 territory, not admissibility. First fact, obviously  
 13 Mr. Armstrong and Mr. Stapleton attacked these test  
 14 results, this information in their direct examination  
 15 questioning brought out by Mr. Herman himself. So we  
 16 are entitled to test that and put on counterevidence  
 17 with respect to it.  
 18 Fact, we can't get the French lab or the  
 19 information. They don't have it either, and we don't  
 20 have it. All we have are summary sheets of the test  
 21 results with which were reported in newspaper, along  
 22 with the control forms that we've already questioned  
 23 witnesses about.  
 24 ARBITRATOR LYON: May I stop you and ask  
 25 a question?

Page 2294

1 MR. TILLOTSON: Yes.  
 2 ARBITRATOR LYON: The summary sheets that  
 3 you have came from -- from the newspaper?  
 4 MR. TILLOTSON: No.  
 5 ARBITRATOR LYON: You don't have them  
 6 from the lab?  
 7 MR. TILLOTSON: No. They were provided  
 8 to us by our French counsel who got them from the  
 9 report.  
 10 ARBITRATOR LYON: From the report?  
 11 MR. TILLOTSON: Yes, from the report.  
 12 ARBITRATOR FAULKNER: Well, who prepared  
 13 the summary sheets?  
 14 MR. TILLOTSON: The laboratory did, did  
 15 they not? Where's my expert?  
 16 ARBITRATOR FAULKNER: He just went out  
 17 the door.  
 18 MR. TILLOTSON: Okay. But I'll defer to  
 19 him as to the specifics. Hang on with me for a second  
 20 on the -- we'll -- the best way to put on this  
 21 testimony, in our mind, is through expert testimony,  
 22 somebody who knows what they're talking about, to look  
 23 at this and then explain what in his mind it means,  
 24 assuming that those are accurately reflected test  
 25 results and then also to talk about some of the

Page 2295

1 attacks thrown out by the other side as to what can  
 2 possibly explain those test results, other than the  
 3 use of EPO.  
 4 Now, they say a lot of things regarding  
 5 attacking these tests for which they also don't have  
 6 any testimony. This notion that someone's in the lab  
 7 spiking EPO is an argument that they've made. No one  
 8 is going to testify to that. No one is going to say  
 9 that that's what the lab is doing.  
 10 So our expert has spoken to people at the  
 11 lab, so WADA, an accredited lab, from whom at least  
 12 some test results this side has put into evidence and  
 13 sponsored as meaning something and talk about the  
 14 various procedures that will be used, the distinction  
 15 between the research test that was done that resulted  
 16 in this and actual testing that might go on in  
 17 connection with the particular races and explain the  
 18 difference between those and why there is some  
 19 credibility to what these results and the testing  
 20 done.  
 21 Now, it is fair game for them to attack  
 22 and say, you haven't seen the underlying  
 23 documentation. You weren't there to see the lab guys  
 24 do it; okay? And that wouldn't be uncommon in any  
 25 proceeding where you bring in an expert to talk about

Page 2296

1 some results as being meaningful in some way. But an  
 2 expert is entitled to rely on anything that in his  
 3 professional judgment that he or others in the field  
 4 would rely to reach a conclusion, and our expert is  
 5 certainly going to testify that these document, he  
 6 would rely on them to reach certain conclusions based  
 7 upon his review of the information, his discussions  
 8 with people at the lab, his analysis of results and  
 9 the performance of Mr. Armstrong in the race, and then  
 10 draw a conclusion from what that means and what these  
 11 test results show, and they're entitled to  
 12 cross-examine that in terms of any work he didn't do  
 13 or any procedure he didn't follow or perceived failure  
 14 of the lab.  
 15 But he's an expert witness. It's not  
 16 being sponsored as fact-based testimony from a lab  
 17 technician coming in, but this is expert testimony.  
 18 It's occasioned by the difficulty with the evidence  
 19 and our ability to obtain that evidence. Right now  
 20 there's an ongoing investigation. I was prohibited  
 21 from asking Mr. Stapleton questions about what they  
 22 were doing in his deposition because they were  
 23 investigating the possibility of a lawsuit.  
 24 So there have been a great hampering of  
 25 at least our ability to obtain the this evidence, but

Page 2297

1 it is meaningful and relevant. It would be totally  
 2 unfair to allow Mr. Stapleton and Armstrong to stand  
 3 up, throw stones at the lab without any factual  
 4 support and then disarm us from the ability from an  
 5 expert who knows what he's talking about to put that  
 6 evidence in context with the Panel.  
 7 ARBITRATOR CHERNICK: Are you going to  
 8 offer into evidence these summaries or simply the  
 9 opinion of Dr. Ashenden?  
 10 MR. TILLOTSON: Well, I think we're going  
 11 to attempt to offer the summaries after we lay the  
 12 foundation, and he can rely on them and if they're  
 13 sufficient in what they are and that he's seen things  
 14 like this before and can do it. But the Panel at that  
 15 time can consider whether or not to formally receive  
 16 the summary sheets and the control forms.  
 17 Even if the Panel doesn't, I think the  
 18 reliability of his opinions would be -- would be --  
 19 would have to be considered by the Panel and that --  
 20 and the weight of the evidence of that testimony. We  
 21 concede we don't have the stack of test documents or  
 22 all the underlying paper from the French lab, but I  
 23 don't believe that that would preclude Dr. Ashenden  
 24 from offering a qualified expert opinion, that if  
 25 certain things that he's seen are, in fact, true, this

Page 2298

1 is what it means, and they're entitled to say, look,  
 2 for all we know, there was a French kid in the lab  
 3 throwing EPO in various samples and whatever attack  
 4 that they want to throw out.  
 5 But at the end of the day, the only  
 6 evidence regarding the procedures of the lab and what  
 7 these test results mean will come from our side, and  
 8 dispersions of who knows what the French lab was  
 9 doing, which is the argument, at the end of the day, I  
 10 think this -- this is meaningful expert testimony, and  
 11 the subject matter, it's before the Panel and attacked  
 12 by the other side.  
 13 ARBITRATOR FAULKNER: Let me ask a quick  
 14 question. Dr. Gundersen --  
 15 MR. STRAY-GUNDERSEN: Stray-Gunderson.  
 16 ARBITRATOR FAULKNER: -- is your expert  
 17 prepared to address those issues as part of the  
 18 rebuttal?  
 19 MR. LEVINSTEIN: Well, yeah, he is.  
 20 ARBITRATOR FAULKNER: I think your  
 21 co-counsel wants to address it.  
 22 MR. HERMAN: And I think, if it pleases  
 23 the Panel, it'd be better for you to hear from  
 24 somebody who, in fact, knows something for a change.  
 25 MR. LEVINSTEIN: I don't agree with that

Page 2299

1 representation.  
2           Some of the issues he will not -- there  
3 is no expert here on urine testing. There's no one  
4 here who is going to testify about -- Dr. Ashenden is  
5 a Ph.D. who's a physiologist has no expertise, he  
6 says, in urine testing. All he says is he's an  
7 expert, he can read the result. But he has no  
8 expertise in how the lab -- I asked him if he could  
9 explain the testing, how they do EPO testing. He  
10 said, not in detail.  
11           Dr. Gundersen will respond to some of the  
12 follow-up conclusions that he draws from this, whether  
13 it makes sense that someone would use EPO in this  
14 pattern; whether it makes sense that these lab numbers  
15 could make sense if necessary. If the results were  
16 excluded, he'll not have to address those kind of  
17 issues, but there are a bunch of observations that --  
18 without any basis, we believe that Mr. Ashenden will  
19 attempt -- in his deposition he attempts to draw from  
20 these, and we'll respond to those.  
21           ARBITRATOR FAULKNER: Okay. So you will  
22 be able to respond to those or Dr. Gundersen.  
23           MR. LEVINSTEIN: But not the urine  
24 testing itself and whether it was actually done and  
25 whether this accurately reflects -- the document here

Page 2300

1 which has numbers and so on -- is an attempt by an  
2 individual to look at an electropherogram, a picture,  
3 and draw conclusions on it. This entire document is a  
4 description of other documents. That's all it is.  
5           There's nothing in here that reports  
6 results, other than an interpretation of an  
7 electrophoresis picture that -- that is --  
8           ARBITRATOR LYON: Is that a subjective  
9 opinion?  
10           MR. LEVINSTEIN: Well, some of it -- the  
11 first column in this whole thing is a subjective  
12 observation. That's chart one. It's a subjective  
13 observation of a technician as to whether he thinks  
14 the picture looks like it shows EPO. The second  
15 quantification numbers where they give you a  
16 percentage is simply taking these pictures that show  
17 and which bands are dark and which ones are not.  
18           It's a mathematical quantification of how  
19 dark certain bands are compared to others. That's the  
20 entirety of what that number means. It's an analysis  
21 of a picture that looks like that. We don't have any  
22 of the pictures that this purports to analyze.  
23           It's -- it's not even a summary that  
24 would say, here's what the accounting document numbers  
25 were. It -- I looked at the accounting documents, and

Page 2301

1 they look like fraud, to me. That's what Columbus "A"  
2 and "B" are. So, again, he's not an expert on urine  
3 testing. He only knows about this testing based on a  
4 dinner conversation he had which none of the details  
5 were discussed, but the lab assured him the results  
6 were 100 percent accurate.  
7           And if we have to get to cross, I'll ask  
8 him 50 or 100 questions about this document. He won't  
9 have any idea the answers to. But we shouldn't have  
10 to get there because, again, as a physiologist, he has  
11 no basis for introducing lab results summary of a  
12 urine test.  
13           MR. HERMAN: Let me add something that is  
14 totally nonscientific here.  
15           ARBITRATOR LYON: We expected that.  
16           MR. HERMAN: Pardon me? You appreciate  
17 that?  
18           ARBITRATOR LYON: No. We expected that.  
19           MR. HERMAN: Oh, you expected it. Yes,  
20 all right. Well, with good reason.  
21           But let's -- let's please keep our eyes,  
22 you know, on the ball. The issue is whether -- in  
23 this case is whether there's coverage for the claims  
24 for the occurrence; that is, whether Tailwind's got  
25 liability or not.

Page 2302

1           Now, I guess we're entitled to take SCA  
2 at their latest word, that the claim was denied in  
3 December of 2004. That's what they say. All of the  
4 law is that it doesn't make any difference what you  
5 discover after the claim is denied. You can't mend  
6 the hole. That -- that's been the law in -- in the  
7 United States since 1877, since the first -- since the  
8 First United States Supreme Court case was founded.  
9           So that you can't, after the -- the -- in  
10 this case, the insured takes the position that as of  
11 December 20, we denied the claim. Now, they've  
12 judicially admitted that that's when they did it;  
13 although, they've also judicially admitted that they  
14 didn't earlier.  
15           But in any event, their latest story is  
16 they denied it on -- in December of 2004.  
17           MR. TILLOTSON: Can we stick to the  
18 facts, rather than attacking --  
19           MR. HERMAN: Okay. All right. I'm just  
20 saying. Okay. December --  
21           ARBITRATOR FAULKNER: Gentlemen, enough.  
22           MR. HERMAN: Okay. All right. So  
23 they -- these 1999 test results or research summary is  
24 published in L'Equipe Newspaper in France in August of  
25 2005 which is nine months after the die is cast in

Page 2303

1 this case. The question here -- there are two  
 2 questions in this case. Is there coverage? Is  
 3 there -- do they have a contractual obligation to pay?  
 4 And, secondly, if they do, does the  
 5 denial or delay of the claim constitute bad faith on  
 6 their part, and if that's the case, was it knowingly?  
 7 So whatever happened, they can't bootstrap their case  
 8 by something that they discovered nine months after  
 9 they made their determination either, "A," that  
 10 Mr. Armstrong cheated in 2001 through 2004, or "B,"  
 11 that there were misrepresentations made by -- by  
 12 Tailwind with which there is no evidence at this  
 13 point.  
 14 And, secondly, looking at 1999 does not  
 15 advance the ball because it doesn't even touch their  
 16 liability in 2001 through 2004. So I'm making a  
 17 rather extensive issue of this because what we're  
 18 talking about is protracting these proceedings and  
 19 having, you know, high -- very expensive experts hang  
 20 around and -- and offer testimony on an issue that  
 21 does not make any difference regardless of whose  
 22 position you take.  
 23 Whether -- whether you're taking SCA's  
 24 position or Tailwind's position, it doesn't make any  
 25 difference what they found out after they denied the

Page 2304

1 claim.  
 2 ARBITRATOR FAULKNER: Okay. Any short  
 3 reply, Mr. Tillotson?  
 4 ARBITRATOR LYON: I have one.  
 5 Are Dr. Ashenden's opinions about  
 6 this basing his opinion on a single test, "A" test --  
 7 is that the generally accepted methodology in the  
 8 clinical drug testing lab?  
 9 MR. TILLOTSON: I'd have to -- well, I'd  
 10 have to ask him that -- that question in that specific  
 11 manner. If your question is, is that in accordance  
 12 with regulatory rules that they would impose a  
 13 sanction for a racer -- is no.  
 14 ARBITRATOR LYON: Just one test -- test  
 15 in any scientific paper or anything like that?  
 16 MR. TILLOTSON: The way you phrase it in  
 17 that way, I'll speak to Dr. Ashenden about it, but I'm  
 18 not aware of any journal that says -- that says --  
 19 that is looked at, do you need a "B" sample  
 20 confirmation because you're not sure about the "A."  
 21 That, I don't know.  
 22 I mean, Dr. Ashenden's prepared to -- to  
 23 explain the purpose behind that particular rule for  
 24 sanctioning athletes and then compare that to a  
 25 result -- a result -- a research project where you're

Page 2305

1 doing testing and is there any lack of competence in  
 2 that test result without a subsequent confirmation of  
 3 a "B" sample and -- and -- and whether or not the  
 4 Panel should draw any negative inferences to the  
 5 believability.  
 6 ARBITRATOR LYON: I want to be sure I'm  
 7 not -- they do not use just one sample to -- by any --  
 8 any sanctioning body in the world in terms of urine  
 9 testing?  
 10 MR. TILLOTSON: The answer is, I do not  
 11 know the answer to that question without talking to my  
 12 expert, and when you -- when you brought it to any  
 13 sport at any time. I'd have to ask him that.  
 14 MR. LYON: Is there any known -- or is  
 15 there a known error rate for using just one sample?  
 16 MR. TILLOTSON: Say that again. I'm  
 17 sorry.  
 18 MR. LYON: Is there a known error rate  
 19 for using one sample? Is that in the subject of any  
 20 literature?  
 21 MR. TILLOTSON: I believe it is. I mean,  
 22 the -- the -- the likelihood of false positives or  
 23 false negatives has obviously been the source of some  
 24 investigation as testing has been applied and used  
 25 over the years. The problem isn't just false

Page 2306

1 positives -- i.e., I think he has EPO and he doesn't;  
 2 there's some other explanation for it.  
 3 There's also an equally important issue  
 4 of false negatives, which is you know some guys who  
 5 are using EPO yet our tests don't show but why. So  
 6 there has been some analysis in the literature of --  
 7 of that particular subject, which Dr. Ashenden is  
 8 prepared to talk about.  
 9 ARBITRATOR LYON: And during the  
 10 methodology of testing, if it, in fact, existed by  
 11 this lab, have they admitted that they did it? Has  
 12 the lab admit -- actually admitted that they did it,  
 13 or is this --  
 14 MR. TILLOTSON: They don't deny that they  
 15 did a -- that they were doing this testing, if that's  
 16 what you're asking.  
 17 ARBITRATOR LYON: Have they admitted or  
 18 denied it or ever made a comment on it?  
 19 MR. TILLOTSON: When you say, admitted,  
 20 did they actually perform these --  
 21 ARBITRATOR LYON: The -- whatever this  
 22 lab did -- the French lab did, they actually issued a  
 23 public statement that they did it?  
 24 MR. TILLOTSON: I don't know if the lab  
 25 has. I do know the regulatory agencies have confirmed

Page 2307

1 that they were working on that project. WADA has  
2 confirmed that, as well, and, in fact, that the lab  
3 Chateau -- or whatever that particular lab is -- was,  
4 in fact, working on that project. That's not a  
5 subject of dispute.  
6 ARBITRATOR LYON: Are there any standards  
7 and control -- can anybody testify under oath here  
8 that they know of any standards or controls that were  
9 used during this test?  
10 MR. TILLOTSON: Our expert, Dr. Ashenden,  
11 has spoken with member -- or members of the lab to  
12 satisfy himself so he can be prepared to testify here  
13 regarding the controls the lab says that they used and  
14 whether or not, assuming that those controls -- if the  
15 lab is being truthful, whether or not -- assuming they  
16 used those controls and the test results would have  
17 meaning, the answer is, yes.  
18 ARBITRATOR LYON: That's all I have.  
19 ARBITRATOR FAULKNER: Mr. Tillotson?  
20 MR. TILLOTSON: Yeah. Then the last  
21 thing, I -- I mean, I'm perfectly prepared to reargue  
22 what has essentially been the argument throughout the  
23 case inviting the summary judgment that -- that the  
24 evidence we've been putting on regarding  
25 Mr. Armstrong's alleged use of performance-enhancing

Page 2308

1 drugs somehow doesn't mean anything in terms of the  
2 party's contract.  
3 I'm prepared to detail our position. I  
4 think I've made it clear at the beginning as to why --  
5 ARBITRATOR FAULKNER: At least I fully  
6 comprehend your position, so that doesn't mean it need  
7 to be repeated by --  
8 MR. TILLOTSON: I will -- I just -- I did  
9 want to offer a 10-second rejoinder in this sense,  
10 that I do believe it's materially unfair to our side  
11 to allow Mr. Armstrong and Mr. Stapleton to take the  
12 stand and kind of prove through these test results and  
13 say, well, this is meaningless; this is nothing; they  
14 must have spiked this; this is outrageous, and then  
15 hamper our ability to bring someone in to -- to -- to  
16 explain, rebut, and attack that testimony, that, no,  
17 there is something here that the Panel needs to hear  
18 and consider.  
19 I conceded in my opening with respect to  
20 the way in which the tests were done as we understand,  
21 that that is not in compliance with the WADA rules  
22 that were sanctioning an athlete and made it perfectly  
23 clear that we were going to try and demonstrate that  
24 what the lab did still has value and meaning to this.  
25 I'm sorry. That's where this testimony comes in.

Page 2309

1 MR. HERMAN: Let me just say one thing,  
2 Your Honor, just to make it very clear that when we --  
3 when we started -- I said, you know, we're going to --  
4 we submit -- we move to, you know, exclude all of  
5 this, and we were not waiving or opening the door  
6 but -- you -- not knowing what was going to come in.  
7 Yeah, I had Mr. Armstrong say that -- you  
8 know, comment on it, I'm happy to strike all of that  
9 testimony, if you want to.  
10 ARBITRATOR FAULKNER: I don't think we  
11 need anything else. Gentlemen, why don't you kind of  
12 join me. Actually, fellows, we may be a little while  
13 because I think we're going up to the eighth floor, so  
14 you guys take, like, a five-minute break.  
15 (Break from 1:22 p.m. to 1:33 p.m.)  
16 ARBITRATOR FAULKNER: Let's go back on  
17 the record. The Panel has heard extensive argument on  
18 the issue on the motion to exclude. The motion is  
19 denied. The evidence will be such that it will be  
20 subject to being cross-examined. We will hear the  
21 evidence, and then we note that you-all will have a  
22 rebuttal expert.  
23 So please call your next witness.  
24 MR. TILLOTSON: We call Dr. Michael  
25 Ashenden. Mr. Towns will be doing the questioning of

Page 2310

1 Dr. Ashenden.  
2 ARBITRATOR FAULKNER: And please speak up  
3 so our court reporter can hear, and if you -- if you  
4 could be a little bit slow, I suspect your accent,  
5 while it doesn't bother any of us, may give her a  
6 little bit of difficulty.  
7 MR. HERMAN: Mr. Chairman, while we've  
8 already notified the Panel, I do want to make aware  
9 that Mr. Levinstein will be doing the  
10 Cross-Examination. With the Panel's permission, if  
11 there are objection during the testimony, either one  
12 of us might make those, if that's all right. Normally  
13 I know that you don't want two lawyers involved but --  
14 ARBITRATOR FAULKNER: We're not in  
15 federal court. I don't mind which one of y'all make  
16 an objection. Mr. Herman, I don't know we can keep  
17 you from talking.  
18 MR. HERMAN: Have you-all discussed that?  
19 MR. TILLOTSON: I just want to confirm  
20 before we start that -- that Mark and, you know, your  
21 rebuttal expert have executed the protective order, if  
22 you'll just confirm that for us.  
23 MR. LEVINSTEIN: We confirm that. That  
24 is the case.  
25 MR. TILLOTSON: All right. I appreciate

Page 2311

1 that. Thank you.  
2 ARBITRATOR LYON: Could we ask the  
3 witness to spell his name, please?  
4 THE WITNESS: The last name,  
5 A-s-h-e-n-d-e-n.  
6 DR. MICHAEL ASHENDEN,  
7 having been first duly sworn, testified as follows:  
8 DIRECT EXAMINATION  
9 BY MR. TOWNS:  
10 Q. Dr. Ashenden, could you describe for the  
11 Panel your educational background?  
12 A. Yes. I did an undergraduate degree in what  
13 was called exercise and sports science, and that  
14 degree gave you a broad overview of the various areas  
15 that contribute to -- to -- to physiology -- exercise  
16 physiology, sport by mechanics, sports nutrition,  
17 sports psychology.  
18 It was a general degree that gave you an  
19 opportunity to specialize, which I did into the area  
20 of exercise physiology. And from that point, I went  
21 to -- to work at the Australian Institute of Sport  
22 where -- where I enlarged on that.  
23 Q. Now, you have a Ph.D.; is that correct?  
24 A. That's right.  
25 Q. What -- what did you complete your study for

Page 2312

1 your Ph.D. in? What topic area?  
2 A. The first two years I was at the Australian  
3 Institute of Sport, I was there in the position of  
4 Exercise Physiologist, Department of Physiology, and  
5 it's probably recognized at that time that -- it was  
6 the highest, I guess, position that you could strive  
7 for as an undergraduate student.  
8 You were given a scholarship, and you  
9 were given free rein to do whatever research you  
10 wanted to, and I was awarded that scholarship for one  
11 year and a second year, as well.  
12 During that time I looked at what would  
13 happen to -- and blood values, for example, when  
14 female athletes became iron deficient. We started to  
15 use, then, analyzers to look at reticular sites in  
16 which hadn't really been used in sports before. Then  
17 I --  
18 ARBITRATOR FAULKNER: Excuse me. Did you  
19 say reticular sites or particular sites?  
20 MR. HERMAN: My accent must be bad.  
21 Reticular sites. The young red blood cells.  
22 ARBITRATOR FAULKNER: Okay.  
23 A. That research gradually melded into doing  
24 research, looking at the effect of the simulated  
25 altitude exposure on the red blood cells production,

Page 2313

1 as well, and it was that area that I got my doctorate  
2 thesis on.  
3 Q. (By Mr. Towns) Okay. And what was it about  
4 the -- the production of red blood cells that you were  
5 studying? What was the purpose of that study?  
6 A. We were particularly interested to know what  
7 happened to an athlete's blood when they were exposed  
8 to altitude. Now, in Australia, we don't have any  
9 high mountains, and so the fall-back position is that  
10 you have the athletes exposed to simulated altitude,  
11 and you can do that by reducing the amount of oxygen  
12 in the air from them.  
13 The physiological effects are pretty much  
14 acknowledged to be the same. We recognized early on  
15 that the picture that we saw in athletes during our  
16 exposure to simulated altitude was much different  
17 to -- to what was recognized in the medical literature  
18 as what would happen to a patient's blood when they  
19 were given EPO.  
20 And there was a researcher -- his name is  
21 Dr. Arasoda (phonetic) who -- who first recognized  
22 that as a potential way to reveal athletes when they  
23 had used EPO, instead of an alibi (phonetic) which is  
24 usually given at high altitude, that's why our blood  
25 looks like this. And so that then led to an extensive

Page 2314

1 research project dealing on that basis.  
2 Q. Okay. Now, I want to talk a little bit more  
3 about that because we've had lots of testimony in this  
4 hearing about red blood cells and about EPO and  
5 different things.  
6 In the beginning when you were doing that  
7 research on altitude, what was it about altitude  
8 training or exposure that affected red blood cells?  
9 A. When you're exposed to high altitudes, the  
10 sort of -- you know, 5,000 meters. We're getting  
11 towards the top of Mt. Everest. There's no doubt that  
12 your body responds by producing more red blood cells.  
13 It stimulates production of a hormone which then goes  
14 to act upon the bone marrow and stimulates more red  
15 blood cells to be produced.  
16 And the effect that has is when you're on  
17 the top of a mountain where there's very little air,  
18 more red blood cells helps you to take the oxygen out  
19 of the air and into your muscles and into your body.  
20 Now, that's clearly a response that happens at high  
21 altitudes.  
22 Athletes generally can't tolerate those  
23 higher altitudes. They train at moderate altitudes,  
24 and so there's been a fair bit of debate for a lot of  
25 years about whether or not when athletes go to

Page 2315

1 moderate altitude, they get the same response that we  
2 know happens when you go to high altitude.  
3 So to come back to your question, we were  
4 particularly interested to know whether those moderate  
5 altitudes led to an increase in red cell production  
6 like we knew happened at very high altitudes.  
7 Q. And what did you discover?  
8 A. We found that it didn't. We could  
9 distinguish between what happened at altitude and what  
10 happened when an athlete used what we used --  
11 nonathletes, I should say in this case -- what  
12 happened when you gave them EPO.  
13 There was some overlap at the very  
14 extremes, but we were able to develop models which we  
15 published and showed that if you used thresholds that  
16 we felt were fairly conservative, that you could  
17 distinguish an athlete who had used EPO just by  
18 looking at their blood and -- and looking at the  
19 markers that we had shown changed when they used  
20 EPO.  
21 Q. Now, in looking at that, can you contrast for  
22 us the difference in effect on a person from altitude  
23 versus EPO? What -- what difference are we seeing  
24 here?  
25 A. Well, the confusing thing is that it's

Page 2316

1 actually -- they're both shifting in the same  
2 direction. It's -- it's magnitude that's -- that's  
3 the difference. When you take EPO, there is an  
4 enormous stimulus which is nonphysiological. It never  
5 happens when you go to altitudes. It overrides the  
6 body's own mechanisms to -- to limit that.  
7 And so essentially what you see is  
8 instead of a shift this far, which you might attribute  
9 to altitude, you see a shift out to here. And so it's  
10 in the same direction, but the magnitude distinguishes  
11 it.  
12 Q. Now, after you did your -- your graduate  
13 work, what did you do next in terms of your  
14 professional career?  
15 A. Well, I should take -- take one step back.  
16 One of the -- one of the things that we did when we  
17 were at the Institute of Sport, we dealt with the --  
18 the best athletes in Australia. They came to train  
19 and had scholarships. And I knew firsthand how  
20 frustrating it was for clean athletes who had spent  
21 years of their life training, and -- and they get to  
22 an event and they just couldn't keep up with the --  
23 the athletes who they suspected, slash, knew were  
24 using drugs.  
25 And it was incredibly disheartening for

Page 2317

1 those -- for those athletes, and I knew firsthand. I  
2 lived with the athletes for three years, that it was  
3 very, very hard for them to cope with, and, I guess,  
4 somewhat naively at the time I thought, well, one way  
5 that I could address this problem is if I could get  
6 rid of the drug cheats, then these clean athletes  
7 could be able to compete today and succeed based on  
8 their merits.  
9 And so leading up to the Sydney Olympics,  
10 which was held in Australia in 2000, we were funded by  
11 the Australian government and International Olympic  
12 Committee to see if we could get these blood tests up  
13 and running, and it was at that point back in '99  
14 really that I began collaborating with the French lab  
15 LNDD, which is the -- that lab that you've heard about  
16 through this hearing.  
17 They were developing the urine test at  
18 that time -- at that point in time. We were  
19 developing a blood test, and the two essentially  
20 overlapped at Sydney, and so in order for an athlete  
21 to fail a test in Sydney, they had to trip both their  
22 blood models and the French urine test.  
23 Now, quickly it was realized that  
24 athletes could get away with using slightly lower  
25 doses of EPO and still get a performance and not trip

Page 2318

1 their blood models, and the general consensus pretty  
2 quickly was, well, we'll just rely on the urine test  
3 because it's not fair if you see the athletes using  
4 EPO in their urine, but just because they use a little  
5 bit less or -- or you -- you saw one or two days  
6 later, they shouldn't have been allowed to get away  
7 with that.  
8 Having said that and coming back to your  
9 question now, that was really only a deterrent to use  
10 EPO, and we knew full well that athletes had other  
11 avenues to get a similar sort of performance  
12 advantage. Back at that time there was on the horizon  
13 what were called blood substitutes which are  
14 essentially artificial blood.  
15 So instead of making your bone marrow  
16 produce the red cells, you got this artificial blood,  
17 and you could use that. And that was definitely a  
18 fear, and so that was on the horizon. As well, we've  
19 known for -- really since the 1960s that blood  
20 transfusions were being used.  
21 And so while those two avenues were open,  
22 I felt that my intent to -- to rid the sport of blood  
23 doping wasn't being met just by having a urine test.  
24 And so I left the -- the Australian government  
25 position at the AIS and began doing this research

Page 2319

1 during 2001 and have been doing it ever since.  
 2 We successfully developed the test for  
 3 blood substitutes. We were successful in developing a  
 4 test for homologous blood transfusion. At the moment  
 5 we are still concerned because there's one big avenue  
 6 and one small avenue that's still open.  
 7 Q. Okay. Now, let me ask you something  
 8 interesting. You actually, as I understand it, held a  
 9 position with the IOC, is that correct, or you've done  
 10 work with the IOC?  
 11 A. I was part of the -- the group assigned is  
 12 who took the blood and the urine test to the IOC that  
 13 led up to the Sydney 2000 games and presented that  
 14 model. And my role at that point in time was  
 15 essentially to troubleshoot the flaws in the blood  
 16 testing to make sure that we addressed all of the  
 17 issues and taken those kinks out before we gave it to  
 18 the IOC and gave it consideration.  
 19 Q. Now, in addition to your work in blood  
 20 doping, have you also had experience or done work in  
 21 technology and technological developments that can  
 22 help athletes?  
 23 A. Yeah. When I was at -- if I use the word  
 24 AIS, that is the Australian Institute of Sport  
 25 facility. It's easier to say. At the AIS we were

Page 2320

1 constantly trying to find any legal means to -- to  
 2 help the athletes to succeed, and so, for example, to  
 3 lead up to the Atlanta Olympics in '96, we were  
 4 concerned about the effect that the hot, humid  
 5 conditions would have on the athletes.  
 6 And so we developed a project which  
 7 essentially looked at how could we help an athlete  
 8 cope with the hot conditions, and -- and some  
 9 scientists came up with the idea of using these  
 10 cooling vests, and essentially it's -- it's a way that  
 11 you precool the athlete before and during their  
 12 warmup, and we did studies and found that it did help  
 13 their performance.  
 14 And so that since was -- and spun out  
 15 into a -- into a company -- I can't remember which  
 16 company actually brought the rights to that, but  
 17 nowadays, at least in Australia, I know that these  
 18 cooling jackets are a commercial product.  
 19 We --  
 20 ARBITRATOR LYON: You said the cooling  
 21 jackets are what?  
 22 THE WITNESS: A commercial product. It's  
 23 not a research idea anymore. It's a -- it's a -- you  
 24 can go to a shop and purchase them.  
 25 A. We did the work on the simulator altitude

Page 2321

1 house which was the first room of its kind in the  
 2 southern hemisphere. That's what I did my doctorate  
 3 thesis on.  
 4 We worked on various other things, you  
 5 know, jump mat forms that could train -- in that case,  
 6 it was volleyball as to -- to be able to maximize the  
 7 training benefit they got from resistance training, so  
 8 we were always trying to explore those different  
 9 areas.  
 10 Q. (By Mr. Towns) Now, has your work been  
 11 influential at all on -- on WADA?  
 12 A. I would like to think so. We've -- we've  
 13 had -- we've had success in some areas, so, for  
 14 example, I mentioned earlier we developed two separate  
 15 tests to detect blood substitutes, and -- and one of  
 16 those test we were using the same methodology, which  
 17 is the same method used to detect EPO, and so I  
 18 coordinated that research and oversaw it. We  
 19 submitted the results to WADA, and that test is now in  
 20 place and been used to take blood substitutes.  
 21 We developed a test -- we introduced a  
 22 test, I should say, to detect the use of homologous  
 23 blood transfusion, and so that is now accepted, and  
 24 it's already being the subject of a fair bit of  
 25 publicity in that it's caught a couple of athletes

Page 2322

1 already.  
 2 And I pushed very strongly at one stage  
 3 for WADA to acknowledge the potential for saline  
 4 transfusions to saline infusions to mask drug use, and  
 5 it -- it was apparent that once these blood tests had  
 6 been worked were being used, the athletes were trying  
 7 to find a way to continue using doping but to -- to go  
 8 under the radar of these blood tests, and a very  
 9 simple way is to infuse saline so that your blood is  
 10 diluted, and so it doesn't look like you've taken  
 11 drugs, even though you have.  
 12 And I've pushed very hard at WADA. As a  
 13 response to that, they then acknowledged the problem  
 14 and introduced saline infusions to -- on to the  
 15 prohibitive substance.  
 16 MR. HERMAN: Could you speak up just a  
 17 little bit?  
 18 THE WITNESS: Yeah.  
 19 Q. (By Mr. Towns) Now, on that topic, describe  
 20 for us a little bit, why -- why would an athlete want  
 21 to do a saline infusion?  
 22 A. The goal in blood doping is to increase the  
 23 amount of hemoglobin in your blood. Hemoglobin is the  
 24 protein that makes blood look red that carries oxygen  
 25 from the air to your -- to your -- to your body.

Page 2323

1 Now, in very simple terms, your blood is  
2 made up of fluid and about also red cells. Red cells,  
3 hemoglobin, for these purposes, you can interchange  
4 the words. If the total amount of hemoglobin is  
5 increased, you can bring the concentration back to  
6 what it is normally by diluting that blood. So you've  
7 got to think of a bucket that's half full of red cells  
8 and half full of water.  
9 If you -- the concentration is 50  
10 percent. If you put another quarter of the -- the  
11 volume of red cells in, then the concentration is  
12 increased. If you then top that up with more water --  
13 you'd have to have a larger bucket -- but your  
14 concentration would be the same as it was initially,  
15 but you'd have more hemoglobin, and essentially that's  
16 what saline infusions do.  
17 You increase the amount of hemoglobin,  
18 then dilute it with saline to look as if you hadn't  
19 blood doped in the first place.  
20 Q. Okay. And have you done any work with the  
21 ASDA? First off, tell us who the ASDA is.  
22 A. They're the equivalent of USADA, the United  
23 States Antidoping Agency. In Australia, it's called  
24 Australian Sports Drug Agency. I think as of, I  
25 think, March they're changing that to the Australian

Page 2324

1 Sporting Antidoping Agency.  
2 They've been consulting with me for --  
3 since I was back at the AIS, so for a number of years  
4 informally, and essentially I've been critical of the  
5 way that -- not just Australia's but all antidoping  
6 agencies conduct their testing, but I don't think  
7 they're as effective as what they could be.  
8 I'm -- I've been in plenty of newspaper  
9 articles expressing that view. I -- I don't hide from  
10 that fact that I've told these agencies. The  
11 Australian Sports Drug Agency recently had a change of  
12 their chief executive officer, and the new CEO came to  
13 me and said, look, we've heard your criticisms. We  
14 take it on-board, and rather than you criticize us,  
15 think about coming and helping us to make it better.  
16 And so I was a little bit reluctant at  
17 first because I'd had some bad experience with them,  
18 but we've slowly worked through some issues, and I  
19 gave them late last year a draft of proposals, and I  
20 said, if you want to make this effective, this is what  
21 you're going to have to do.  
22 And to their credit, they've taken that  
23 to their board, and the board has okayed these  
24 improvements, and we now are quite aware they're going  
25 to use me a consultant to make sure that they

Page 2325

1 implement what I'm suggesting properly, and then I  
2 feel confident that it would be the best antidoping  
3 program that I'm aware of in the world.  
4 That might sound a little bit big-headed,  
5 but there are some fundamental changes that we're  
6 going to introduce, which I'm sure will make it more  
7 effective.  
8 ARBITRATOR LYON: Who's going to  
9 introduce that?  
10 THE WITNESS: The Australian Sports Drug  
11 Agency.  
12 Q. (By Mr. Towns) Are you currently working on  
13 any research that you think has any hope or potential  
14 for making you feel a little more level, in your  
15 opinion?  
16 A. The problem that we face in -- in doping  
17 research is that it's becoming easier and easier for  
18 athletes to -- to escape when caught, and the problem  
19 really revolves around the fact that they're using  
20 hormones and products that are the -- that can't be  
21 detected or are very short-lived.  
22 So, for example, EPO leaves the system  
23 completely within a couple of days. We've completed  
24 research last year which shows that if you try taking  
25 that dose very carefully, within 12 or 24 hours it's

Page 2326

1 left your system. Now, that's a real concern because  
2 it still shows athletes can dope and not be caught.  
3 I personally believe and we've been  
4 funded by both USADA and WADA to conduct this  
5 research. We believe that we need to start shifting  
6 the paradigms and say, instead of trying to catch them  
7 with a needle in their arm, let's look at what happens  
8 to them when they've taken the drug, and the markers  
9 that show they've taken the drug which has left their  
10 system are in the WADA code, and we know that we can  
11 impose sanctions based on those biological markers.  
12 The marker that I think has tremendous  
13 promise is looking at the molecular level at the genes  
14 and which genes are switched on and which genes are  
15 switched off in association with drug use. And so  
16 we've done a study, and -- and we've shown that  
17 something, like, 8 -- we found 83 genes that changed  
18 by an amount which was clearly not biological.  
19 And so we are pushing that research  
20 forward now because I think as long as -- this is what  
21 our research has shown us. As long as the athlete's  
22 getting a benefit from the blood doping which lasts  
23 for a couple of weeks after their injections stop,  
24 these markers are still disturbed, and so I believe  
25 that if we utilize the WADA code which says you can

Page 2327

1 use these markers to impose a sanction and we show  
 2 them an athlete on week "X" had stopped taking EPO  
 3 based on the fact that these parameters are the same  
 4 as what we see when we treated subjected with EPO, I  
 5 think we finally are in our, you know, realm where the  
 6 athlete won't be able to simply dodge a test for day  
 7 or two and won't be able to use a product that we  
 8 can't detect. And I think at that point the athletes  
 9 will say, well, now we can be confident of competing  
 10 clean, and they won't resort to -- to drug use.  
 11 Q. Okay. Now, I know that when we talked about  
 12 this before, you were a little hesitant to want to  
 13 mention this. But it's true, isn't it, that you've  
 14 been awarded the Australia sports medal?  
 15 A. Yeah. That was given to -- to the  
 16 researchers doing the EPO 2000 project in recognition  
 17 for the services that we've given in getting these  
 18 blood tests ready for the Sydney Olympic Games.  
 19 Q. And you also made the top 10 of the Smart  
 20 100; is that right?  
 21 A. Yeah. There's a -- there's a magazine in the  
 22 Australia that's -- it's distributed nationally, and  
 23 it's -- every year they do a poll of a group of  
 24 people, and so, you know, who in the particular field  
 25 do you recognize as leading the field, and I was a

Page 2328

1 finalist in their top 10 in the sports category.  
 2 Q. Now, you've been retained by SCA in this case  
 3 as an expert?  
 4 A. (Witness nods head up and down.)  
 5 Q. And we've asked you to provide expert opinion  
 6 in different topic areas; is that right?  
 7 A. Yeah.  
 8 Q. One of those areas is generally blood doping  
 9 in sport; is that fair?  
 10 A. Sure.  
 11 Q. Can you -- and you've done a little bit of  
 12 it, but can you describe for us so that we understand  
 13 a little bit more kind of an overview of the history  
 14 of blood doping in sport?  
 15 A. Most accounts would go back to about the  
 16 1960s. There was -- I don't know names -- a Finish  
 17 athlete who was associated with the use of blood  
 18 transfusions, taking someone else's blood and putting  
 19 it into your body so that you had more red blood  
 20 cells, more hemoglobin.  
 21 Pretty much since that time there was a  
 22 steady background noise that, you know, every now and  
 23 again it would pop up on the surface, and after 1984  
 24 Olympics, the U.S. Cycling Team was -- and later  
 25 admitted to using both autologous and homologous blood

Page 2329

1 transfusions. And so it's all been sort of in the --  
 2 in the picture.  
 3 Then I think during the late '90s, it's  
 4 fair to say that it became easier by using injections  
 5 of this hormone EPO. You didn't have to worry about  
 6 blood bags and blood transfusions so --  
 7 Q. I want to interrupt you just for a second  
 8 because you used a term there, homologous and  
 9 autologous blood transfusions. Can you explain what  
 10 you mean by that?  
 11 A. Homologous transfusion is when you take the  
 12 blood from somebody else. Autologous is when you use  
 13 your own blood, and so you take it out earlier, store  
 14 it. And then if you put your own blood back in, you  
 15 use an autologous transfusion. If you, instead, use  
 16 someone else's blood, it's homologous transfusion.  
 17 Q. That sounds a little bit dangerous. Is it?  
 18 A. Homologous transfusions, yeah. It's -- it's  
 19 one of the main reasons why I really wanted to -- to  
 20 get a test in place because literally you are risking  
 21 your life, and there's been accounts that have been  
 22 relayed to me by people in this room that of athletes  
 23 reporting to the start line and having to be carried  
 24 away because they're having a homologous transfusion  
 25 reaction.

Page 2330

1 That's kind of on the low end of the  
 2 scale. The high end of the scale is if you become  
 3 sensitized to one of these antigens and later on you  
 4 need a transfusion for medical use, you won't be able  
 5 to have it. And then you get into those whole area of  
 6 AIDS and viruses and things.  
 7 Now, you mix all of that into this notion  
 8 of you having a transfusion for no legitimate medical  
 9 reason, and you really are and -- playing with --  
 10 playing with your life.  
 11 Q. Now, I think I interrupted you there. You  
 12 were -- you were telling us the more recent  
 13 developments in blood doping, and I cut you off so --  
 14 A. I was up the '90s? Yeah.  
 15 We -- it was easy to use an injection.  
 16 Then come 2000 when we introduced a test that was at  
 17 least able to detect EPO to -- to some extent, I,  
 18 speaking with -- with people around the world that  
 19 were close to sports, they said, we were looking, your  
 20 know. Now these transfusions are coming back.  
 21 As you would expect, the cynical athlete  
 22 knows that they might be caught with one test.  
 23 They're going and use a test -- a doping approach  
 24 where they know they can't be caught. And I think the  
 25 proof of the pudding is that, you know, in 2004, we

Page 2331

1 introduced the test, and the first time the test was  
2 introduced, athletes got caught.  
3 Q. And specifically what -- do you know the  
4 names of some of the athletes that got caught?  
5 A. Yeah. The 2004 Olympic Games in Athens,  
6 Tyler Hamilton's "A" sample was found positive for  
7 blood transfusion. The "B" sample was destroyed by  
8 mishandling in the laboratory, and so he wasn't  
9 sanctioned based wholly on that result; however, a  
10 couple of weeks later he was racing in the World Cup  
11 in Spain, and they tested him again, and the blood  
12 cells stay in your circulation.  
13 This time "B" sample was handled  
14 correctly. The "A" sample and "B" sample again showed  
15 the presence of mixed cell population. He was  
16 sanctioned for the transfusion. And coincidentally or  
17 not, his teammate Perez was also found positive of  
18 homologous blood transfusion.  
19 Q. Okay. That's the dangerous one, homologous?  
20 A. Yeah.  
21 Q. Okay. And that's -- you -- you actually  
22 participated in -- in the development of that test; is  
23 that right?  
24 A. Well, I coordinated the research. I am --  
25 was at the first meeting when we went to the -- the

Page 2332

1 people at the hospital in Sydney and said, look, you  
2 know, do you think you could use a test that you've  
3 been using for 10 years in a hospital and could we  
4 apply that to sport and catch athletes using blood  
5 transfusions?  
6 We then went through a process where we  
7 wrote up applications, submitted it to USADA. They  
8 said, that's a great idea. Go and do the research,  
9 WADA was funding -- funding my position, and so they  
10 had a stake in it, as well. And so essentially I  
11 oversaw the -- the program to the point where you  
12 published it, and we -- we showed that -- that the  
13 test was able to do what we -- we claimed that it  
14 could do, and then we handed it over to the -- to the  
15 antidoping agencies, and they implemented the test.  
16 Q. Dr. Ashenden, I want to ask you, because I  
17 think public perception is that the authorities are  
18 fighting very hard to get rid of doping in sport. Why  
19 has it been so difficult to eradicate?  
20 A. Because the predominant drug used in the '90s  
21 was EPO, and it's a hormone that we have in our body  
22 anyway. And so the problem that we faced was, well,  
23 how do you distinguish when the hormone which is going  
24 to be there anyway comes from an injection or it comes  
25 from the production -- kidneys production.

Page 2333

1 Combine that with the fact that this  
2 hormone is very short-lived. You take an injection,  
3 and it's completely removed from the system within a  
4 matter of days. And you paste that on to the fact  
5 that an athlete can full well go up into the mountains  
6 or a remote private location knowing that if drug  
7 testers did arrive, they would have at least some  
8 notice and be able to evade drug testers during that  
9 critical period.  
10 Then you've got a formula putting all  
11 that together where a cynical athlete could -- and  
12 they would probably need the advice of their doctor --  
13 be able to map out a program where they were  
14 essentially beyond reach during the periods where the  
15 EPO was in their system and be able to compete when  
16 they still had the benefits of -- of that drug, but  
17 there was no way that they could be caught.  
18 The -- the other problem we face, which  
19 is still with us today, is that if you use autologous  
20 transfusion -- you're taking your own blood out in the  
21 earlier day and store it up and put it back in, we  
22 can't -- we can't pick that up. There is no test  
23 where we can sanction an athlete for using that  
24 approach.  
25 Now, it's just as effective as EPO. It's

Page 2334

1 probably slightly more effective than the homologous  
2 transfusion. The bottom line is we can't sanction for  
3 it, so athletes who are seeking to use blood doping  
4 and not get caught would be drawn to that avenue.  
5 Q. Well, that leads to an obvious question. If  
6 we hear or see that an athlete passes a drug test, can  
7 we conclude that they're clean?  
8 A. I think all that you can conclude is that at  
9 the time that athlete gave the specimen, they weren't  
10 using any drugs that could be detected, and that's --  
11 that is radically different from saying, well, they  
12 weren't using drugs. All it shows is they weren't  
13 using drugs that could be detected at that time.  
14 And one of the things that's perhaps not  
15 clear to -- to the -- to the public is that even when  
16 an athlete provides an sample and even when that  
17 sample is tested, it's not automatic that all of the  
18 drugs that they might have used is tested for. So,  
19 for example, even the -- even the highest profile  
20 event that you can think of, say the Tour de France,  
21 for example, even when they put the urine sample from  
22 an athlete in a sport which we know has problems with  
23 EPO, in an event which we know has been associated  
24 with drug problems in the past, because of the  
25 financial restrictions and the time constraints, they

Page 2335

1 don't always analyze that sample for the presence of  
 2 EPO.  
 3 So even if it was in there, it's possible  
 4 for the athlete to have a negative result simply  
 5 because they didn't analyze the sample.  
 6 Q. Now, do we know of any examples of athletes  
 7 who, in fact, did beat tests but were using drugs?  
 8 A. Oh, sure. I mean, if you -- I mean, probably  
 9 here in the States, you're familiar the BALCO  
 10 situation where there's at least three athletes that  
 11 I'm aware of who have been found positive for using  
 12 drugs and have never failed a drug test.  
 13 And in cycling, David Miller won the  
 14 2003, I think it was, World Championships, and  
 15 equivalent to what Lance Armstrong won in '99 and  
 16 repeated in 2003. Now, he was subjected to the same  
 17 testing that any other cyclist is. He won the World  
 18 Championship. He did not fail any test for EPO.  
 19 Later on when police raided his house and  
 20 he came into possession of EPO, he admitted that he  
 21 had used the EPO to prepare for the 2003 World  
 22 Championship, so, I mean, clearly it is possible to  
 23 do.  
 24 Q. Now, more recently we've seen in headlines in  
 25 the U.S. the situation with the sprinter Montgomery.

Page 2336

1 Was Montgomery -- did he ever test positive, that you  
 2 know of?  
 3 A. No. That's one of the BALCO cases where they  
 4 were using steroids which at the time there was no  
 5 test available for, and so they knew full well that  
 6 whatever testing they were being subjected to, they  
 7 put up their hands and come and test me, and they knew  
 8 they weren't going to fail the test because they knew  
 9 the product they were using couldn't be tested for.  
 10 Q. Now, you talked about not only products but  
 11 methods of using products that can -- can escape  
 12 detection. Is there certain techniques or are there  
 13 certain drugs that one can use to escape drug tests?  
 14 A. There's -- there's a whole category that we  
 15 call masking agents which -- there's always rumors  
 16 that there's this magical masking agent around which,  
 17 you know, helps you to not trip the drug test, but I  
 18 find those -- those arguments -- at times they seem  
 19 compelling. At other times we'd track it down, and  
 20 it's probably based more on rumor.  
 21 But one of the things that we do know  
 22 happens and we do know it's possible is urine  
 23 substitution. If you've got a drug in your body that  
 24 you even fear might be detected, you can substitute  
 25 your urine using somebody else's who's -- who is clean

Page 2337

1 or even your own urine which you've collected before  
 2 when you didn't have the drug in your system.  
 3 And there's a couple of examples that --  
 4 Willy Vogt the trainer associate with the Festina drug  
 5 scandal in '98 --  
 6 Q. Let me stop you right there because I  
 7 think -- I think we may have a picture. Yeah, yeah.  
 8 I didn't really understand the urine substitution  
 9 until you showed me the photograph.  
 10 A. It's a little bit gross, but can I get up?  
 11 ARBITRATOR FAULKNER: Yes, sir.  
 12 A. Essentially on the left-hand side, what we're  
 13 looking at here is it was produced in Willie White's  
 14 book about -- this was what was going on. This is a  
 15 condom that's used as a receptacle for the urine, and  
 16 so that's inserted up the -- the anus, and then this  
 17 tube is used to take what would be clean urine passed  
 18 through this into the sample jar. (Indicating.) And  
 19 so the clean urine would end up in the sample, not  
 20 the -- the athletes themselves.  
 21 This one over here was what a Hungariari  
 22 hammer thrower used at the 2004 Olympic Games, and he  
 23 successfully used this approach to -- go give a bogus  
 24 sample, and he was awarded his gold medal, and he went  
 25 home, and everything was hunky-dory -- sorry. I

Page 2338

1 shouldn't use that -- everything was -- seemed to be  
 2 okay.  
 3 The problem was that someone reported him  
 4 as having used this approach, and so the lab went back  
 5 and looked at the samples and said, well, we've got  
 6 two samples here from the same athlete, they don't  
 7 match. One of those comes from someone else, and so  
 8 they suspected that he had used urine substitution.  
 9 One of his teammates had got caught trying to use  
 10 that, as well.  
 11 They went and knocked on his door in  
 12 Hungary and said, look, you know, you need to provide  
 13 another urine sample, and he refused, which is a  
 14 doping sanction, so his medal has been stripped. But  
 15 the take-home message for me was that even at the  
 16 Olympic Games where you're using the best available  
 17 drug tests, a gold medalist successfully gave a  
 18 substituted urine sample and -- and got away with it.  
 19 It's possible to do that.  
 20 Q. Now, no offense but it's --  
 21 ARBITRATOR LYON: Let me ask you a  
 22 question, if you don't mind. You said that he used  
 23 this device on the right-hand side?  
 24 THE WITNESS: Yeah. Well, let me be a  
 25 little bit more accurate. David Coleman, who's the

Page 2339

1 director general of WADA, released this photograph and  
2 stated that that is what he believed was used, and so  
3 I don't think he would be making that sort of  
4 statement without, you know, knowing the basis.  
5 ARBITRATOR LYON: Okay.  
6 Q. (By Mr. Towns) I was going -- it seems --  
7 well, my perception would be that these guys are  
8 watched fairly closely when they're giving samples,  
9 and it would be difficult to use a device like that.  
10 Is that, in fact, true?  
11 A. Well, obviously it is true, to use that  
12 sample. One thing that -- that concerns me is that at  
13 the 2003 Tour de France, the WADA, the World  
14 Antidoping Agency, sent some independent observers  
15 to -- to see how the drug testing procedures were  
16 carried out. Essentially it's looking over their  
17 shoulder to make sure they're doing the right thing.  
18 The WADA independent observers reported  
19 back to them at the Tour de France, the cyclists  
20 weren't accompanied from the finish line until when  
21 they had to provide a urine sample. In some cases the  
22 cyclists disappeared into their -- their trucks, came  
23 back out 20 minutes later, and provided a urine  
24 sample.  
25 Now, that's pointblank against WADA's

Page 2340

1 policy. You have to observe the athlete from the  
2 moment they -- they cross the finish line to when they  
3 provide the sample to make sure there's no opportunity  
4 to use this sort of thing.  
5 Now, at least up until 2003, those  
6 guidelines were being followed, and so at least in  
7 Tour de France there has been an opportunity to -- to  
8 utilize this sort of thing.  
9 Q. Now, as a scientist trying to devise ways of  
10 ensuring that the sport is clean, how do you go about  
11 trying to catch those that are now escaping the  
12 system?  
13 A. Sorry. I don't really follow your question.  
14 Q. Well, I mean, if they're able to -- to use  
15 these various methods that you've described, as a --  
16 as a scientist and a researcher, how -- I mean, in the  
17 future, how are we going to catch these people? Do  
18 you have any thoughts about that?  
19 A. Well, an approach that I've -- I've been  
20 supporting for a long time is this notion of  
21 longitudinally monitoring the athletes and in  
22 particular their -- their blood profiles because  
23 within reason, the blood concentration, hematocrit,  
24 whatever you want to call it, you're born with is what  
25 you have throughout your life. Now, obviously there's

Page 2341

1 a little bit of variation from day to day.  
2 Now, the -- the values go up and down by  
3 a -- by a little bit. But we've always been of the  
4 opinion that if you have a -- a picture of what an  
5 athlete's blood should look like and then they report  
6 to a race with values that are much higher than what  
7 they've been in the past, then that can give you a  
8 trigger, if you like, that, well, something unusual is  
9 happening.  
10 And so a longitudinal picture of an  
11 athlete's blood profile gives you a good insight into  
12 whether or not there's -- there's something unusual  
13 happening.  
14 Q. Okay. Now, I want to switch topics and talk  
15 about another area that you've been asked to provide  
16 an opinion, and it's more to the heart of what this  
17 hearing is about.  
18 Do you have an opinion of whether Lance  
19 Armstrong used performance-enhancing drugs?  
20 A. Yes, I do.  
21 Q. And what is that opinion?  
22 A. Based on what I've seen and -- and read and  
23 heard, to -- to my mind beyond any reasonable doubt,  
24 he has used performance-enhancing drugs at -- at some  
25 point.

Page 2342

1 Q. And what specifically leads you to that  
2 conclusion?  
3 A. I think it's -- I think it's a conglomeration  
4 of things. The thing that I've always been aware of  
5 that -- that never really -- never really made sense  
6 to me was the sudden jump in performance. Now, in  
7 antidoping research, one of things that -- or  
8 antidoping research, one of the things you look for is  
9 a sudden unexplained improvement in the performance.  
10 Generally speaking, an athlete's  
11 improvement is -- is gradual over time. Now, that's  
12 not to say they can come in at a phenomenal level and  
13 improve from there. But generally you don't see  
14 someone come from nowhere and suddenly start winning  
15 races. And whenever you do, you -- you want to look  
16 at that a little bit closer and say, well, there's got  
17 to be an explanation. Then you try to find out what  
18 it is.  
19 Q. Now, what dramatic change or improvement do  
20 you see in Mr. Armstrong's case that you believe  
21 supports that conclusion?  
22 A. The thing that concerns me is that if you --  
23 if you want to break it together, precancer -- you  
24 know, the Tour results in '93 and '94, for example.  
25 He struggled to -- to keep up with the peloton,

Page 2343

1 especially in the mountain stages. He was dropping,  
 2 you know, 20 minutes at a time.  
 3 Now, that's understandable. Climbing a  
 4 mountain in those conditions has got to be hard, but  
 5 what I find hard to reconcile is that after cancer, he  
 6 comes back, and the first time back, he's not just  
 7 able to climb the mountain and beat the peloton, he's  
 8 able to decimate them and completely leave them  
 9 behind.  
 10 Now, that is, by anyone's definition, a  
 11 dramatic improvement in performance, and I haven't  
 12 seen anything that explains to me how that improvement  
 13 took place.  
 14 Q. Now, you told us a few minutes ago that you  
 15 believe there's value in looking at longitudinal data  
 16 on athletes. Have you been provided some data that  
 17 would give you a longitudinal picture of  
 18 Mr. Armstrong?  
 19 A. Yeah. When -- when SCA first approached me,  
 20 they -- they said, you know, we're anticipating having  
 21 a longitudinal blood record. I said, well, look, I'd  
 22 be happy to look at that because that should give me  
 23 some insight. That's never really been produced.  
 24 We've got probably -- we're excluding  
 25 the -- the medical records -- half a dozen blood

Page 2344

1 values over, you know, a span of, say, from '92  
 2 through 2005. That almost 13 years.  
 3 Q. Well, let's look at some of the data we've  
 4 been provided. Let's look at in the book -- either --  
 5 in the blue books in front of you there at  
 6 Respondents' Exhibit 33. If you go to table two on  
 7 page four.  
 8 MR. TOWNS: Mariela, could you cut that  
 9 table out for us? Thank you.  
 10 Q. (By Mr. Towns) Is this some of the data that  
 11 you were referencing?  
 12 A. Yeah. That was published -- I think it was  
 13 online in April 2005. Something like that.  
 14 Q. And you know this to be the JAPR article by  
 15 Dr. Coyle; correct?  
 16 A. Yeah.  
 17 Q. And he testified about this earlier in this  
 18 case, and you heard that; right?  
 19 A. Uh-huh, yeah.  
 20 Q. Now, just tell the Panel what it is you see  
 21 when you're looking at this longitudinal data.  
 22 A. I guess when I first saw this article, the  
 23 thing that struck me is if you have a look at the  
 24 maximal oxygen uptake values, you know, 70, 76, 81,  
 25 66, 71, those aren't the sort of values that I would

Page 2345

1 have expected to see in an athlete who could literally  
 2 leave behind the best cyclists on the planet. So it  
 3 struck me as, gee, that's lower than what I would have  
 4 expected.  
 5 The next thing that struck me is the --  
 6 the improvement in power output at a given oxygen  
 7 uptake. It's lower here, and it does seem to go up.  
 8 What struck me as inconsistent though, is here is  
 9 Armstrong postcancer, and I think Ed Coyle testified,  
 10 if my recollection serves right, that that was on the  
 11 back of just two weeks of training.  
 12 Now, if you have a look at the power  
 13 output after two weeks of training after this guy's  
 14 had cancer, it's virtually the same as what it was  
 15 after he competed in one of his first Tour de France.  
 16 Now, I -- I still can't reconcile in my mind how you  
 17 can get that similar value when -- if you look at his  
 18 oxygen uptake here, it's the lowest that --  
 19 that it's -- in any of those values. There's  
 20 something in that August '97 testing period that I  
 21 can't, as a physiologist, reconcile.  
 22 Then I guess the next thing that struck  
 23 me is the body weight. Something that I'd always read  
 24 about Armstrong is his explanation for this  
 25 improvement in performance is that during the cancer,

Page 2346

1 he remodeled his body. Now -- and he came back -- I  
 2 think I read 22 pounds, 20 pounds which is, you know,  
 3 9 or 10 kilograms. This is when he was a successful  
 4 athlete.  
 5 He'd been training for -- I think he  
 6 testified, since he was 14, so he wasn't a couch  
 7 potato. 79 kilograms, 76.5, 71 kilograms when he's in  
 8 the racing season. Now, I accept that his racing  
 9 weight probably was 75 or so kilograms. What I still  
 10 can't understand is if he remodeled his body during  
 11 cancer, he comes back out heavier than what he was  
 12 when he went into it. That's -- that's not his  
 13 account. He said he came out 20 pounds lighter, and  
 14 you see it again after he'd won the Tour de France.  
 15 He's still virtually the same weight as he came back  
 16 and -- from cancer.  
 17 I was given a pretty brief opportunity to  
 18 review his medical records, and they seem -- they seem  
 19 to stop at about his fourth round of chemotherapy.  
 20 But up until that time, his body weight hadn't  
 21 changed. It was still 79 kilograms or thereabout, so  
 22 I -- I can't see where he lost his body weight and  
 23 when, and none of the data that I've seen would make  
 24 me think any different. I -- I -- I just can't  
 25 make -- make sense of it.

Page 2347

1 Q. Now, there have been a number of explanations  
 2 that have been offered for the increase in performance  
 3 both in regard to this data and outside of it, and I  
 4 want to -- I want to ask you about some of those  
 5 explanations and get your opinion.  
 6 Dr. Coyle testified and this paper  
 7 suggests his opinion is that Mr. Armstrong's  
 8 efficiency as he matured increased. In fact, I think  
 9 down on the -- the same page, on page four, he even  
 10 has a -- a graph, and he showed us a PowerPoint that  
 11 it's a straight-line increase in efficiency.  
 12 Now, do you have -- do you have an  
 13 opinion on whether the efficiency explains  
 14 Mr. Armstrong's increase in performance?  
 15 A. I think the first point to make is that Ed  
 16 Coyle is the first and only scientist to ever claim to  
 17 have found an increase in efficiency of this magnitude  
 18 in a cyclist.  
 19 It's been -- it's -- Holy Grail is  
 20 overstating it, but it's -- it's something that has  
 21 been looked at. Plenty of people have tried to find  
 22 it, and you just don't see it. It's -- it's  
 23 tantamount to saying, well, you'd run faster if you  
 24 had three legs. Let's grow a third leg. It's a  
 25 truism if you -- if you grew a third leg, if you

Page 2348

1 improved your efficiency.  
 2 The concern I have is that I -- I don't  
 3 rely on that data. There's inconsistencies there  
 4 which, to my mind, make me question the validity of  
 5 it, and then I would come back to the position, well,  
 6 I still haven't seen any data that suggests that there  
 7 was an improvement in efficiency, and, therefore, as a  
 8 scientist, I couldn't take that as an explanation.  
 9 THE WITNESS: Now, can we -- can you  
 10 bring up the graph that's there? (Indicating.)  
 11 A. During his testimony, I'm not sure why but he  
 12 left these data points out. He made -- sorry, Ed  
 13 Coyle made a point of saying, well, it's a straight  
 14 line. Now, you would expect because it's on a  
 15 straight line that that reinforces the -- the validity  
 16 of his finding. Now, frankly, I -- as a scientist,  
 17 I'm not in a place to make that claim because what  
 18 that's inferring is that the stimulus that's causing  
 19 this increase in efficiency is constant throughout.  
 20 Now, he attributes it -- attributes it in  
 21 his article, at least to my -- I didn't hear him say  
 22 anything different in his testimony, that that's due  
 23 to the hours and hours that Lance Armstrong spends on  
 24 his bike. Now, the first thing to realize is that  
 25 everyone spends hours and hours on the bike. There's

Page 2349

1 nothing unique about that. So why don't we see this  
 2 improvement in efficiency in every other cyclist on  
 3 the planet.  
 4 The other point comes back to this --  
 5 it's a constant stimulus because, based on what Ed  
 6 Coyle said, it's on a straight line; therefore,  
 7 believe the data. He had cancer here. (Indicating.)  
 8 He stopped training. Now, if the stimulus was his  
 9 training and he stopped training, then it means the  
 10 stimulus is no longer there. So this point shouldn't  
 11 be on the line. It shouldn't be on the line, but it  
 12 is. So to my mind, if I look at that from the other  
 13 perspective and say, well, the fact that it's on a  
 14 straight line makes me question the data more than  
 15 ever.  
 16 And I -- I just can't accept at face  
 17 value those -- those conclusions. "A," because it's  
 18 never been shown anywhere else; "B," there's clearly  
 19 flaws in the -- the methodology used to collect that;  
 20 and "C," it's -- it's -- it's just not consistent even  
 21 with his own speculation.  
 22 Q. Now, I want to ask you about flaws in the  
 23 methodology because I asked some questions of Dr.  
 24 Coyle and -- and it may not have come very clear in  
 25 the way that I was asking the questions.

Page 2350

1 There was some dispute over the type of  
 2 equipment that was being used and criticisms, and I --  
 3 I wasn't trying to be, you know, necessarily unfair to  
 4 Dr. Coyle, but can you explain to the Panel why the  
 5 choice of equipment matters?  
 6 A. Well, as an exercise physiologist, I would  
 7 concur with what Jay T. Kourney said, which is that  
 8 the chances of monitoring an elite athlete over this  
 9 period of time and you conduct the longitudinal state  
 10 of his -- I forget his words, but it was essentially  
 11 there's no chance in hell of -- of doing it  
 12 successfully, and it's right.  
 13 There is virtually no chance of getting a  
 14 study like this done properly because you have to have  
 15 the same equipment at each time point. If you don't,  
 16 any differences that you see from one point to the  
 17 next could be due to the athlete changing or it could  
 18 be due to the equipment you're using changing. And  
 19 the best way that you get control for that is to use  
 20 the same piece of equipment each time and very  
 21 carefully calibrating and get it to where you use it  
 22 as exactly as you can.  
 23 But what you simply can't do is  
 24 substitute another odometer, another bike part way  
 25 through and say, well, we'll get the results off that

Page 2351

1 bike and just pretend that it's the one we've used all  
2 along. Now, I -- I couldn't understand Ed Coyle's  
3 explanation of -- of how he rationalized the fact that  
4 he had used different odometers but somehow efficiency  
5 tests he'd used the same on.  
6 It doesn't ring true to me, and I -- I'm  
7 concerned that one possibility that would explain  
8 these results is that he did use a different odometer  
9 and that that's underpinned this whole Table 2. As --  
10 as an example, it's --  
11 THE WITNESS: Could you blow up that  
12 table again, please?  
13 A. There's been plenty of studies published  
14 which show that if you used one type of odometer here  
15 and a different odometer there, based just on the  
16 differences that you see using different odometers,  
17 your efficiency would change by virtually that amount.  
18 And until that possibility can be  
19 excluded, as a scientist, you would look at that and  
20 say, well, you know, let's -- let's hold off, and I  
21 certainly wouldn't be extrapolating any conclusions  
22 from it.  
23 ARBITRATOR CHERNICK: By the amount,  
24 you're referring to the changes in those efficiency  
25 percent, the 21 and 21.18 to 23.05?

Page 2352

1 THE WITNESS: Yeah. In magnitude, 21.18  
2 to 23.05.  
3 ARBITRATOR CHERNICK: Is that plus or  
4 minus five percent?  
5 THE WITNESS: Well, the efficiency itself  
6 can change by 1, 1.8 percent, based on measurement  
7 error alone. The work outputs, the -- what's the  
8 bottom, that difference, 374 to 404, is within the  
9 areas that you could find using different odometers or  
10 even the same odometer over time just by fluctuations  
11 in the -- the measurement itself.  
12 ARBITRATOR CHERNICK: Well, doesn't --  
13 wouldn't the calibration solve that problem if you're  
14 using the same machine?  
15 THE WITNESS: It would address one aspect  
16 of that. The other thing that -- that hasn't been  
17 covered at all here is the issue of how precisely can  
18 you measure gross efficiency. If you go and do a test  
19 today, come back tomorrow, do the test again, how  
20 different are those results going to be? And we  
21 submitted -- I think somewhere there's an evaluation  
22 where the only publication we could find in the  
23 literature that we looked at showed us that, you know,  
24 1.8 percent is what the area you'd expect just coming  
25 back one day to the next.

Page 2353

1 And so to look at that over seven years  
2 and discount that entirely and say, well, that's not  
3 the cause, I -- I don't think that's a sound approach  
4 to -- to make the publication.  
5 ARBITRATOR CHERNICK: Okay.  
6 Q. (By Mr. Towns) Now, one thing that you  
7 brought up that I notice, Dr. Coyle testified at the  
8 August '97 data, the fourth column there, was done  
9 on -- after Mr. Armstrong was coming back from his --  
10 his cancer and that he had very little training out  
11 from -- I don't recall the exact time -- versus  
12 November of 1999, after he's won his first Tour de  
13 France.  
14 Is there anything -- to me, there is.  
15 Was there anything interesting to you as a scientist  
16 in the difference in wattage output in those two -- in  
17 those two values?  
18 A. Between '97 and '99?  
19 Q. Yes.  
20 A. Yeah. We already covered that. The -- just  
21 to explain this value here, this is the amount of  
22 power that Armstrong was generating when his body was  
23 consuming five liters of oxygen. I mean, that's --  
24 that's a fairly intense workload. Coming out of  
25 cancer, he was able to generate 399 watts, and after

Page 2354

1 winning the Tour de France by a stunning margin, he  
2 was able to produce 404 watts.  
3 Now, in -- in practice, five watts is --  
4 is neither here nor there. I've explained before,  
5 that's the thing that -- that strikes me about that.  
6 Q. Now, there was also some discussion with Dr.  
7 Coyle, the difference between gross efficiency and  
8 Delta efficiency. Could you clear up any confusion  
9 that I may have created. Can you explain the  
10 difference?  
11 A. I'll do my best to convey this to you. It's  
12 a -- it's a hard area to explain.  
13 Gross efficiency essentially reflects how  
14 much of the energy that your body's consuming,  
15 actually comes out into the pedals. That is very  
16 gross analogy, but I will -- I'll do the best I can.  
17 The order effic -- let me just explain  
18 that. And so it takes into account the energy that  
19 you're using to -- to breathe, to move your lungs in  
20 and out, to stay on a bike, to hold the handles of the  
21 bicycle, things like that. People realize that -- or  
22 scientists realize that that's probably a little  
23 bit -- pardon the pun, but it's a gross way to look at  
24 this. It's too inaccurate.  
25 And so what they did was say, well, we'll

Page 2355

1 call this Delta efficiency, and we'll take account of  
2 the oxygen or the energy that you're using to hold on  
3 to the bike and to -- to breathe, and we'll factor  
4 that out, and then we'll look at how efficient your  
5 muscles are. Now, even Ed Coyle's own publications,  
6 he -- I -- I tend to agree -- suggest that Delta  
7 efficiency is probably the more valid measure.  
8 Now, the thing that occurred to me here  
9 is that the differences between -- at any one time  
10 point, the difference between his gross efficiency and  
11 his Delta efficiency is vanishingly small. I mean, to  
12 one decimal place, it's the same of -- of this end of  
13 the table. Essentially what that's suggesting is that  
14 for this particular athlete, he doesn't need any  
15 oxygen to hold on to the bike or to move his lungs or  
16 anything like that.  
17 Now, clearly that's nonsense. There's  
18 something that hasn't been taken account of here, but  
19 I'm not aware of any other publications that have ever  
20 shown someone's gross efficiency officially being the  
21 same as their delta efficiency is. His inference is  
22 some people are able to sit on the bike and breathe,  
23 not using any oxygen at all. Now, even lying down in  
24 bed, you have to use oxygen.  
25 ARBITRATOR FAULKNER: Mr. Towns, is this

Page 2356

1 a good time to take a short break?  
2 MR. TOWNS: Sure.  
3 MR. TILLOTSON: I'd like to get rid of  
4 the noise on my phone so that it will quick chirping.  
5 (Break from 2:37 p.m. to 2:50 p.m.)  
6 ARBITRATOR FAULKNER: Proceed, please.  
7 Q. (By Mr. Towns) All right. Dr. Ashenden,  
8 before we broke, we were talking about the  
9 longitudinal data that we had on Mr. Armstrong, a  
10 portion of which is on the -- the screen from Dr.  
11 Coyle's study.  
12 I want to talk about other explanations  
13 that have been offered for Mr. Armstrong's dramatic  
14 improvement after cancer, and one of those is that  
15 Mr. Armstrong has superior physiology. Do you recall  
16 that being offered at various times by various people?  
17 A. Sure.  
18 Q. And in particular, do you recall Dr. Coyle  
19 had a diagram up where he calculated, I guess,  
20 Mr. Armstrong's genetics or somehow to the population  
21 as one in a billion. Do you recall that?  
22 A. Yeah.  
23 Q. Do you have an opinion on -- if -- if  
24 Mr. Armstrong's physiology is equal to one in a  
25 billion in the population?

Page 2357

1 A. Well, the -- unfortunately the  
2 representations made by Ed Coyle in that calculation  
3 were -- were just wrong. Number one, he didn't  
4 calculate the measures that he was claiming were the 1  
5 in 50, 1 in a 100. And there was this trickle-up  
6 effect that you don't measure this but you just say  
7 it's 1 in 10, and then multiply it all together. It  
8 was just -- it was baseless. There was no scientific  
9 rationale for the conclusions that -- that he reached.  
10 And was it one in a billion or one in 500  
11 billion or something? It was equivalent to -- to  
12 speculation.  
13 Q. Okay. Now, along that same line, Dr. Coyle  
14 showed us a couple of values that he felt were  
15 exceptionally high among either the -- the average  
16 population or lead cyclists, one of those being VO2.  
17 Do you recall all the testimony about VO2?  
18 A. Yes.  
19 Q. And we've certainly heard a lot about VO2  
20 from various witnesses in this case. Can you just  
21 briefly tell us what VO2 is?  
22 A. I'll go over it one more time. It's the  
23 amount of oxygen that your body is burning permanent,  
24 and it reflects the -- the energy expenditure.  
25 Particularly for endurance sports, you need to be able

Page 2358

1 to burn a lot of oxygen in order to sustain a  
2 high-energy output for a long time.  
3 Q. Now, in looking at the -- the data that we  
4 have, just look at Table 2 here from Dr. Coyle's  
5 study. The VO2 values for Mr. Armstrong, do you find  
6 those to be an explanation for his improvement in  
7 performance? Let me -- let me try to put that a  
8 different way. Do you see that as an explanation of  
9 that improvement?  
10 A. Well, no. On probably -- I'll address it at  
11 two levels at this point. The first is that the --  
12 the relative value, which is this second line here.  
13 (Indicating.) Most people would acknowledge that's  
14 the value that you need to take into account when  
15 you're looking at cycling.  
16 The highest value that you see there is  
17 81.2 in the middle column, and he -- that was at the  
18 time that Lance Armstrong won the World Championship.  
19 Now, 81.2 is a good value. There's no doubt about  
20 that. It's a good value. And if an athlete came into  
21 your laboratory and had a VO2 of 81.2, you have no  
22 hesitation whatsoever in predicting that they would  
23 have a successful career in an endurance sport.  
24 There's -- there's no doubt about that.  
25 The thing that concerns me there is that

Page 2359

1 that's the highest value that we see, and it goes down  
 2 from there. It goes to 71.5 in '99. Now, that's  
 3 inconsistent with the performances. The success began  
 4 in '99 when the VO2 was just 71.5.  
 5 Now, when you start looking in the -- the  
 6 low 70s like that, your average professional cycling  
 7 team is going to have at least several athletes with  
 8 VO2s equal to 71 and probably higher. I mean, there's  
 9 a paper that's been published on the Spanish  
 10 professional cycling team, and the average VO2 of the  
 11 entire squad was something like 78.8. That's the  
 12 average, so obviously some of the cyclists were  
 13 higher; some of them were lower.  
 14 (Respondents' Exhibit No. 104 was  
 15 marked.)  
 16 Q. (By Mr. Towns) Let me stop you right there,  
 17 and I want to show you what's been marked as  
 18 Respondents' 104 and ask you if that's the study that  
 19 you're referencing.  
 20 MR. TOWNS: This was in the second  
 21 production, Senator.  
 22 A. Yeah, it is. If you have a look at the  
 23 second page of the document.  
 24 THE WITNESS: Can you blow up that bottom  
 25 table? Thank you.

Page 2360

1 A. This is the -- that value that I was talking  
 2 about. This is the -- the relative value, and this is  
 3 the average of all of the cyclists, 78.8 plus or minus  
 4 3.7. The highest value that they had in their squad  
 5 was 84.8. Now, of course, 84.8 is a very good score,  
 6 but to my mind, to take a message from that is that  
 7 VO2 of -- the low 70s, it's still good, but these are  
 8 the caliber of cyclists that Armstrong would have been  
 9 competing against, and if his VO2 when he raced was in  
 10 the low 70s, he was racing against people who had  
 11 higher VO2s than he did.  
 12 So in and of itself, the maximum oxygen  
 13 uptake doesn't explain his -- his success. And then  
 14 you bring that back and you look at the inconsistency  
 15 where it was higher in '93 when he didn't have the  
 16 same success, lower in '99. You really begin to  
 17 question that. I don't -- I don't buy the argument  
 18 that it was some -- I think he called it in the  
 19 article "exceptionally high VO2" that could explain  
 20 the success, no.  
 21 Q. Well, how about a little bit different  
 22 explanation and that being offered by Dr. Coyle, as  
 23 well as on the video that came before Dr. Kearney's  
 24 testimony regarding the blood lactate levels of  
 25 Mr. Armstrong. Is that, in your opinion, an

Page 2361

1 explanation for his superior physiology and increasing  
 2 performance?  
 3 THE WITNESS: Can we go back to the Coyle  
 4 Table 2?  
 5 A. This is the value here that we've been  
 6 talking about, maximal blood lactic acid, 7.5, 6.3 --  
 7 ARBITRATOR FAULKNER: You need to keep  
 8 your voice up, Dr. Ashenden.  
 9 A. I'll repeat that. 7.5, 6.3, 6.5, 6.5, and  
 10 9.2. The -- another argument put forward to explain  
 11 this dramatic increase in performance is the notion  
 12 that the highest lactic acid levels that Armstrong  
 13 produced were remarkably low. There's two problems  
 14 with that argument.  
 15 First of all, these values are low, but,  
 16 again, you see that kind of value in a professional  
 17 cyclist. Now, it's the bottom end. I acknowledge  
 18 that. But it's not so low that you would say, well,  
 19 there it is, the magic bullet.  
 20 But perhaps the more important thing is  
 21 that I -- as an exercise physiologist, I would  
 22 struggle to find a colleague that would put up their  
 23 hand and say, yes, your maximal lactic concentration  
 24 is able to predict your performance. It's been --  
 25 it's been misconstrued, and I'm not sure why he's

Page 2362

1 pushed this point so -- so diligently.  
 2 But the truth of the matter is that back,  
 3 say, 10, 15, 20 years ago, lactic acid was viewed in a  
 4 completely different context. It was thought of as  
 5 this evil thing. You know, lactic acid impairs your  
 6 muscle function, blah, blah, blah. The most recent  
 7 literature turns out, it'd be used better -- the best  
 8 we can see is you look at the molecular basis of it,  
 9 it turns out lactic acid really is good during  
 10 exercise.  
 11 It's essential. It's used as a fuel by  
 12 the muscle, so the notion that having a high level is  
 13 going to give you -- or a low level is going to give  
 14 you better performance is -- is flawed; however, I'll  
 15 qualify that in anticipation of some -- some  
 16 questions.  
 17 I don't dispute that monitoring your  
 18 lactic acid levels over time is a valid training tool.  
 19 So what you look for in an athlete is over time with  
 20 the same -- called a workout, you know, the same  
 21 effort, if you can get the lactic acid levels to  
 22 decrease with the same -- doing the same work, that is  
 23 a positive training stimulus, and that's the true  
 24 application of lactic acid loads. It's -- it's not  
 25 how you use lactic acid when you're training an elite

Page 2363

1 athlete. It's just not used this way.  
2 Q. (By Mr. Towns) Now, along the lines of  
3 talking about blood values and some of these things,  
4 there was some discussion of Mr. Armstrong's  
5 hematocrit level. Do you recall?  
6 A. Yeah.  
7 Q. Specifically I asked Dr. Coyle if he had  
8 measured Mr. Armstrong's hematocrit, and he replied,  
9 as I recall, yes. Do you remember that?  
10 A. (Witness nods head up and down.)  
11 Q. And it's not reflected in this report, but do  
12 you recall what he said that the testing range was on  
13 Mr. Armstrong?  
14 A. Not exactly. I had the -- the feeling it was  
15 between 43 and 46; although, he didn't indicate where  
16 he got those values from, so I was a little confused.  
17 My -- my recollection is that it was within that  
18 range.  
19 Q. Okay. And do you recall Mr. Armstrong  
20 himself describing what his hematocrit levels were?  
21 A. I think he -- my memory was that he said at  
22 the Tour de France, the highest hematocrit he ever had  
23 was 46.  
24 Q. Now, just -- we've heard a lot about  
25 hematocrit. Can you just tell us why it's, you know,

Page 2364

1 better or worse to have a higher or lower hematocrit?  
2 A. The -- it's a difficult concept because the  
3 value itself doesn't predict the performance. It  
4 changes in the day, and your hematocrit is pretty  
5 much -- it's a genetic feature, so the hematocrit you  
6 have when you are, say, 18 years old is what you're  
7 going to have throughout your life.  
8 Some people might have hematocrit of 52.  
9 Other people will have a hematocrit of 43. Yeah, 43  
10 is a much more common value for a minor. 52 is very,  
11 very uncommon. But the point is that if you're 43 --  
12 and this comes back to this longitudinal monitoring I  
13 was talking about before -- you can stay 43, and there  
14 will be few variations up and down.  
15 But just because my hematocrit is 48  
16 doesn't mean I can beat an athlete whose hematocrit is  
17 43. But if my hematocrit is 43 and I increase it to  
18 48 artificially, then that gives me a performance  
19 advantage. It's relative to your value if you  
20 increase it. It's a performance advantage. But just  
21 having a hematocrit of 48 doesn't equal good  
22 performance.  
23 Q. And does the Tour de France's best, you know,  
24 have any sort of monitoring of hematocrit levels?  
25 A. Yeah. In -- I think it was '97, I think,

Page 2365

1 they introduced this notion of what they called a  
2 health check, that they would check the hematocrits of  
3 riders before they began to compete -- and usually  
4 it's the morning of a race -- and they instigated a  
5 rule that said, if your hematocrit exceeds 50 percent,  
6 you won't be allowed to ride that day.  
7 Now, for Tour de France, if you don't  
8 race one day, that's it for the whole -- the whole  
9 competition. So that was the -- the rule they  
10 instigated in '97, and they've -- they've still got  
11 that rule, and they've supplemented it with some other  
12 tests, as well.  
13 Q. Now, what if you're a Tour de France rider  
14 and you have the natural hematocrit that you described  
15 of being greater than 50? Are you just banned for  
16 life from participating in the Tour?  
17 A. No. It's -- it's recognized because it's a  
18 genetic feature, that some people will have a  
19 hematocrit that's high, and it's not fair to exclude  
20 them just because that's what their parents gave them.  
21 And the athletes are allowed to apply for  
22 an exemption. Essentially it entails them going to a  
23 laboratory or selected lab, and you test the results  
24 and demonstrating that it's their natural value.  
25 And so I think they do some urine testing

Page 2366

1 on them simultaneously, and they needed to satisfy the  
2 doctor that, yes, this is the value which is normal  
3 for you, and they're given an exemption. If you come  
4 to the race and your hematocrit is 52, you're allowed  
5 to race, even though it's above this limit we've got  
6 in place.  
7 Q. Now, in -- do you recall a packet of  
8 information we got the morning that Dr. Kearney  
9 testified that was marked as Claimants' 118? Do you  
10 recall that packet?  
11 A. Can I look at it?  
12 Q. Sure.  
13 A. Claimants' --  
14 Q. Actually I bet it's not in your binder.  
15 MR. HERMAN: I think it's the blue --  
16 MR. CHERNICK: It's that large clipped  
17 collection of -- here. (Indicating.)  
18 MR. TOWNS: Oh, there it is right here.  
19 There you go.  
20 MR. TILLOTSON: He's got it.  
21 MR. TOWNS: Oh, he does?  
22 MR. TILLOTSON: Do you need one?  
23 MR. TOWNS: I do.  
24 MR. TILLOTSON: It was right there. Do  
25 you need it?

Page 2367

1 MR. TOWNS: No. I have one.  
 2 Q. (By Mr. Towns) In Claimants' 118, there are  
 3 some blood values taken by the USOC on Mr. Armstrong.  
 4 Do you remember that?  
 5 A. Yeah, I do.  
 6 Q. And do you recall what Mr. Armstrong's  
 7 highest reported blood value was in Claimants' 118?  
 8 A. The highest I remember was 48.8. It was 46.7  
 9 and a 48.8. 48.8, yes, sir.  
 10 Q. Seeing that value as compared to all of the  
 11 other reported values and even the reports of  
 12 Mr. Armstrong himself, what does that indicate to you?  
 13 A. It strikes me as unusual. That is the sort  
 14 of trigger that I would say, well, that deserves  
 15 closer attention. You need to look into -- to -- to  
 16 why this athlete's values in the past have been 43,  
 17 and he -- he reports to USAC, and the value's 48.8.  
 18 ARBITRATOR LYON: What's the date of  
 19 that?  
 20 THE WITNESS: I'll read it out. It's  
 21 written 12/6/91. Is that June of December? I guess  
 22 that's December.  
 23 ARBITRATOR LYON: That's December.  
 24 THE WITNESS: In Australia, that's June.  
 25 Q. (By Mr. Towns) All right. Now, I want to --

Page 2368

1 I want to quickly turn to a -- a couple of other  
 2 explanations that have been offered. Both --  
 3 ARBITRATOR CHERNICK: That was in 1991?  
 4 THE WITNESS: Yeah.  
 5 Q. (By Mr. Towns) -- both in terms of explaining  
 6 Mr. Armstrong's superior physiology and also his  
 7 superior performance improvement that we saw  
 8 postcancer.  
 9 One is the notion that Dr. Coyle  
 10 explained regarding Mr. Armstrong's heart size and  
 11 heart rate. Do you recall that testimony?  
 12 A. Yeah.  
 13 Q. Do either of those explanations satisfy you  
 14 for Mr. Armstrong's improvement or superior  
 15 physiology?  
 16 A. The heart rate, it's -- I -- I --  
 17 they've been around physiology levels for a lot of  
 18 years, so I'm -- I'm going to give them benefit of the  
 19 doubt and suggest that they probably didn't understand  
 20 what they were actually saying. It was a mistake.  
 21 To suggest that someone's maximal heart  
 22 rate gives them a performance advantage over someone  
 23 whose maximal heart rate's low is -- is nonsense.  
 24 Now, I -- I can't comprehend why  
 25 physiologists that's worked at the USOC -- and I think

Page 2369

1 Eddie Coyle said he'd seen a thousand cyclists or  
 2 something. Why they would say that? I think they  
 3 were probably mistaken, but you wouldn't -- you  
 4 wouldn't make that suggestion.  
 5 Q. Okay. What about the reports of  
 6 Mr. Armstrong's superior heart size?  
 7 A. Well, again, that was another -- there's  
 8 another explanation, at least put forward in the  
 9 press, and I know I read it, and it's been repeated on  
 10 that DVD that we saw from the Discovery Channel that,  
 11 you know, Lance Armstrong's heart is -- is -- you  
 12 know, is an incredible size, and I think in the  
 13 interviews I saw Ed Coyles give, that he said it's  
 14 equivalent to the heart of a seven-foot-tall man.  
 15 I -- I was -- I was skeptical about that  
 16 from the outset. I've never seen any measurements,  
 17 and there was -- it just seemed rather a convenient  
 18 explanation, and it's simple enough to do -- to  
 19 measure heart size, and based on what we've heard,  
 20 they've never measured apart from an echocardiogram,  
 21 which is usually the best way to look at it.  
 22 Looking at the reports during his -- and  
 23 leading up to his cancer treatments, they noted that  
 24 his heart was within normal limits. Now, they said it  
 25 was on the upward -- the upward boundary of normal

Page 2370

1 limits, but that, to me, is a much more objective way  
 2 to look at it, and it doesn't suggest that --  
 3 according to the -- to the Armstrong stats, is that  
 4 it's equivalent -- or I shouldn't -- no, I think -- I  
 5 think Lance Armstrong said as much himself, that, you  
 6 know, it's -- it's an incredible large heart.  
 7 I don't think Ed Coyle had any basis to  
 8 suggest that it was equivalent to the size of a  
 9 seven-foot man. I -- I don't know where he would have  
 10 made that assumption from.  
 11 Q. Now, a couple of other explanations, that  
 12 Lance Armstrong needed to age before he can win the  
 13 Tour de France and that the explanation from, say, his  
 14 performance in 1995 to 1999 is simply he got older.  
 15 What's your reaction to that?  
 16 A. There's -- there's an element of truth that  
 17 in -- in endurance sports, you will see athletes  
 18 mature into a better athlete, but you don't see this  
 19 sudden dramatic -- he gets to 28 and hit some sort of  
 20 a power band and all of a sudden explodes. And I  
 21 think you've also got to take on-board the fact that  
 22 there's been at least three, that I -- I know of,  
 23 multiple Tour de France wins who have succeeded in the  
 24 early '20s.  
 25 I don't think it's valid to say that,

Page 2371

1 well, this is why you get the sudden jump because he  
 2 got older. I -- I don't agree with that.  
 3 Q. Now, one -- one rebuttal to any criticism,  
 4 skepticism of Mr. Armstrong's physiology or improved  
 5 performance has simply been Mr. Armstrong and those  
 6 who around him saying, Mr. Armstrong's the most tested  
 7 athlete on the planet and he's never tested positive.  
 8 Does that satisfy, from a scientific standpoint, your  
 9 concerns or -- or issues with the increase in  
 10 performance?  
 11 A. I think if I was a layperson, I'd take some  
 12 assurance from that. I'd say, well, he's been tested.  
 13 I mean, he would have had to come up positive if he  
 14 was using drugs. I remember, it was a revelation to  
 15 me when I realized when I started working with  
 16 antidoping labs themselves that they don't just take  
 17 these urine samples, put it into a machine, push a  
 18 button, and all the results come out.  
 19 You've got to decide which product you're  
 20 going to test for, and you've got to allocate out some  
 21 of that urine to test it for this and some of it to  
 22 test for that. Now, there's a limit to how much urine  
 23 is collected in a sample jar, and you simply can't  
 24 test for every product under the -- the banned list.  
 25 And as I've explained before, the

Page 2372

1 laboratories and the organizers rationalize their  
 2 costs by not testing every single thing, even if they  
 3 could, and reducing the costs. Now, then working from  
 4 that platform, you say, well, you're not testing every  
 5 sample for every product. You know there is some  
 6 blood doping methods that they can use that wouldn't  
 7 be picked up even if you did look for it.  
 8 It starts to ring a little bit harder to  
 9 say, well, I was tested all these times and never  
 10 tested positive. It's even more questionable when you  
 11 take into account that -- the admissions of athletes  
 12 in the last few years that, yeah, look, I took EPO. I  
 13 won a World Championship. They didn't catch me.  
 14 It's -- it's -- I don't personally now  
 15 get any reassurance from that.  
 16 Q. Well, what about the 2000 tests both on blood  
 17 and urine that was conducted on the entire Postal  
 18 Service Team? What's your explanation of those tests  
 19 in terms of satisfying any curiosity or -- or perhaps  
 20 concerns you have with the increase in performance of  
 21 Mr. Armstrong?  
 22 A. The thing about the -- the 2000 results  
 23 that concerns me is that the -- the specialists who  
 24 analyzed those samples separately working with two  
 25 different levels -- both from their reports

Page 2373

1 simultaneously came to the realization there's  
 2 something strange. These urines are too clear.  
 3 There's -- I -- I mean, I --  
 4 Q. Let me just stop you right there and ask  
 5 you -- because we've heard clean; we've heard clear.  
 6 What does that mean, urine is too clear?  
 7 A. Okay. I went through this with Mr.  
 8 Levinstein, so I'm sure he understands it.  
 9 Now, clean is my tie. That's clean. It  
 10 hasn't got a stain on it. Clear is water. That's  
 11 clean and clear. This is clean. This is clean, but  
 12 it's not clear.  
 13 Now, the accurate representation of what  
 14 those reports concluded in the 2000 samples was  
 15 they're too clear, not that they were too clean, and  
 16 Lance Armstrong himself, it could have well been a  
 17 slip of the tongue. I -- I automatically concluded  
 18 too from his statement, but he said, look, I've been  
 19 accused of being too clean. It's -- that's  
 20 misrepresenting it. The samples were too clear.  
 21 Q. What do the samples being too clear indicate  
 22 to you?  
 23 A. Clear urine is inconsistent with an athlete  
 24 who has just been on a bike for four, five, or six  
 25 hours and raced up a mountain, and it is consistent

Page 2374

1 with urine substitution, and that concerns me because  
 2 the year 2000 was the time where there was an enormous  
 3 amount of publicity about -- and work to develop a  
 4 test for EPO. It was in place in September, a couple  
 5 months after the Tour de France.  
 6 And I'm not sure that any athlete at the  
 7 Tour de France in 2000 could have felt absolutely  
 8 certain that they weren't going to be tested in some  
 9 way or another for -- for EPO. And I think that if  
 10 you look at that, if you like, picture and take into  
 11 account the fact all of his urine samples were clear  
 12 and it was during mountain stages, as well, that I --  
 13 that causes me concern.  
 14 Q. Okay. And I don't necessarily want to be too  
 15 graphic, but what would you expect, you know, if -- if  
 16 it shouldn't be clear, what should the urine samples  
 17 have looked like after the mountain stages?  
 18 A. I would say it's almost brown, you know, from  
 19 stages like that. It's -- it's not clear. That's  
 20 the -- that's the one thing everyone would agree on.  
 21 Now, you know, yellow, dark yellow  
 22 shading into brown. Now, if it was brown, that's  
 23 getting a little bit too extreme. That athlete was  
 24 probably not very well advised on, you know,  
 25 hydration, but that's the sort of thing you'd expect.

<p style="text-align: right;">Page 2375</p> <p>1 Q. Okay. And have you seen any evidence of 2 there ever being any urine substitution in the Tour de 3 France? 4 A. Well, Willy Vogt from the Festina scandal 5 acknowledged as much. He goes into -- I mean, he 6 devotes a whole chapter to the -- the ways that they 7 would try to get around the doping controllers. 8 The -- I guess that would be all that comes to mind in 9 cycling. If you want to limit it just to cycling, 10 yeah. 11 Q. Okay. Have there -- now, we heard about the 12 athlete -- we saw the picture where there was that 13 explanation. Have there been other examples of urine 14 substitution, besides the one you're talked about? 15 A. Well, there was the two Hungarians at Athens 16 2004. 17 Q. You'll have to speak up. 18 A. I beg your pardon. 19 There was the two athletes that -- at the 20 Athens Olympics in 2004, the Willy Vogt. It's -- oh, 21 it's not exactly urine substitution but it's 22 tantamount to it. Michele Smith was the Irish swimmer 23 that -- and her sample was found to contain whiskey. 24 Now, that's a little bit hard to explain, 25 and that was the basis for doping sanctions, as well,</p>	<p style="text-align: right;">Page 2377</p> <p>1 approach. We worked with the Paris laboratory. They 2 conducted the analyses for us, and so -- I've 3 supervised projects that have dealt with this 4 methodology and the researchers that did these stages. 5 Q. Okay. And the values that are reflected here 6 on the first page of Respondents' 44, are those 7 typical of the types of values in the way that they're 8 reported in the work that you've done? 9 A. Sorry. Which values are you referring to? 10 Q. Well, I'm not picking any particular one. 11 I'm just saying the way that the various values are 12 reported generally in the first page. 13 A. Yeah. I mean, they've -- they've sent 14 results to me in virtually the -- the same format. 15 Probably not -- well, not exactly the same but, I 16 mean, very, very similar. 17 Q. And behind the -- Respondents' 44 is more 18 than one page. There's data behind that. Have you 19 reviewed that data, as well? 20 A. Yeah. 21 Q. Can you just briefly tell us what recombinant 22 EPO even is? 23 A. The hormone in your body that regulates red 24 blood cell production is EPO. Recombinant EPO is 25 essentially taking the gene that's responsible for</p>
<p style="text-align: right;">Page 2376</p> <p>1 so it's not as if this is a far-fetched notion. 2 Q. As a person with some Irish heritage, is that 3 uncommon? 4 A. No thanks. 5 Q. Withdraw the question. 6 Let's shift now and talk about a subject 7 of much controversy, and that being the 1999 report 8 from L'Equipe; okay? First off, I want to ask you if 9 you've had an opportunity in this case to do some 10 study to look -- look somewhat at that situation, the 11 1999 samples as reported in the L'Equipe. 12 A. Yeah. I've looked over the -- the results 13 that I've been given. 14 Q. Okay. And let's look at Respondents' 44. 15 It's in this one right here. (Indicating.) 16 A. Yeah. 17 Q. First, let me ask you this, Dr. Ashenden. We 18 talked quite a bit about your work early on. Let me 19 just ask you a simple question. Have you done work 20 with urine -- urinalysis? 21 A. Yeah. We -- last year, 2005, we were funded 22 by the WADA to -- to conduct an investigation on 23 whether using the -- the very small dosages of EPO 24 that I talked about, whether it really was possible 25 for an athlete to -- to get under the radar using that</p>	<p style="text-align: right;">Page 2378</p> <p>1 producing that hormone in your body, sticking it into 2 a cell somewhere in a dish, multiplying it a trillion 3 times, and then taking the EPO that's produced from 4 those cells, cleaning it up, putting it into a tube so 5 that instead of having to have your kidney to produce 6 the EPO, you just inject that virus. 7 It's a -- it's a -- recombinant means you 8 take the gene out. It's the same molecule with just 9 very slight modifications. 10 Q. Okay. And do you know what lab these 11 particular samples were analyzed in? 12 A. Yeah. In LNDD in Paris. 13 Q. Is that a WADA accredited lab? 14 A. It's the same lab that does all of the 15 analysis and always has for the -- for the Tour de 16 France. 17 Q. I want to show you what's been marked as 18 Respondents' 53 and highlight the text. And what I 19 want to ask you is just a very simple question. 20 Mr. Stapleton in this open letter refers to a lab on 21 which they offer the results of Mr. Armstrong's 22 testing were performed that could be relied upon. Is 23 that the same lab that performed these tests? 24 A. Yes, it is. 25 Q. Now, do you have any information on how the</p>

Pages 2375 to 2378

Page 2379

1 testing was -- was performed on these 1999 samples?  
2 A. Yes. After my deposition when I realized  
3 that it was a point of some concern, the validity of  
4 these results, I've made a point of calling Jacques  
5 Deseisse who heads up the laboratory.  
6 He -- he collaborates with me on -- on  
7 research projects. It's not uncommon for us to  
8 discuss matters, but I wanted to satisfy myself that  
9 my understanding was correct. And so I -- I spoke  
10 with him, and -- and there was -- there was some  
11 restrictions because obviously I said to him, look, I  
12 can't give you any details because I'm going to be a  
13 witness.  
14 And he said, well, actually I can't give  
15 you too many details because it's a subject of a WADA  
16 and UCI investigation, but he was able to talk to me  
17 in general terms about the research and the technique  
18 that he used.  
19 Q. Okay. Now, if we looked back in the first  
20 pages of Respondents' 44 --  
21 A. Yeah.  
22 Q. -- can you tell us what these values or  
23 results mean?  
24 A. The -- I haven't spoken to him in any detail  
25 about the specific results because that's one of the

Page 2380

1 things that we realized was probably out of bounds.  
2 But it's clear these corresponded with the -- the  
3 sample ID numbers from the -- the doping control  
4 forms. This is the -- the concentration of EPO in the  
5 routine take after the urine sample is spun down.  
6 It's -- it's a pretty involved process,  
7 but essentially you've got to pretreat the urine, and  
8 you end up with a very small amount in the bottom of a  
9 tube, and this here is a reflection of the  
10 concentration of EPO that's left in the little bit  
11 after you've gotten rid of everything else.  
12 These three columns were the subject of  
13 the research. It was to -- to look at, is there a  
14 better way for us to interpret results so that we can  
15 prevent athletes who are doping with EPO from escaping  
16 just because they happen to fall under the -- the  
17 particular criterias. So they took samples; they  
18 analyzed them; and then they interpreted the results  
19 three different ways; and -- and looked at which way  
20 "A," "B," "C" was -- was better in different  
21 situations.  
22 That's just remarks about the -- the  
23 sample analysis themselves. It's volume left over,  
24 the volume of retentat left over that could be  
25 analyzed if -- if they needed to back in and check the

Page 2381

1 sample again.  
2 Q. Now, what you -- in looking at those values,  
3 what conclusions could you reach in terms of if there  
4 are any positives reflected?  
5 A. Well, this first column is visual  
6 interpretation. It's -- as it was pointed out during  
7 the -- whatever you call it before we started talking.  
8 It's looking at the bans and saying, is that  
9 associated with EPO use or not.  
10 Now, contrary to what was said earlier  
11 on, visual identification has been used to sanction  
12 athletes. There are more than -- I know of at least  
13 two laboratories -- Jacques told me -- that having  
14 posed sanctions based only on visual interpretation of  
15 what they see in front of them.  
16 The second column is what is  
17 predominately used in -- in most of the labs around  
18 the world, and I should point out, there's no  
19 stipulation the lab has to use this way of  
20 interpreting the results. There's some flexibility.  
21 But this column here is what's most  
22 widely used, and the third column is a mathematical  
23 discriminative analysis to -- again, once the results  
24 are there, interpret them using this mathematical  
25 approach. There are three different ways of looking

Page 2382

1 at the same result.  
2 Q. And do -- the results that are reflected, do  
3 they reflect positives?  
4 A. Which ones?  
5 Q. Any of them.  
6 A. Well, it's difficult to say with this  
7 reproduction, but, yeah, the ones that are -- are  
8 shaded out -- it's going to be too confusing.  
9 Essentially they've shaded out samples that were  
10 positive on this criteria. They've put the number  
11 here to -- to reflect the percentage here and, again,  
12 used the shading key system to -- to indicate where  
13 they're using that approach, they would have declared  
14 that sample positive.  
15 Q. Now, there's been some criticism -- well,  
16 there's been a lot of criticism, I think, of this lab  
17 and these tests. One has centered around chain of  
18 custody. From the information that you've reviewed,  
19 do you think that it is an accurate criticism to  
20 discard these results on chain of custody grounds?  
21 A. Can you ask that question again?  
22 Q. Well, have you seen anything that reflects  
23 problems with chain of custody?  
24 A. No. The -- if you talk about chain of  
25 custody, you've got -- the custody from when the

Page 2383

1 sample was collected from the athlete to when he got  
 2 to the laboratory. Now, I don't think anyone's  
 3 questioning that that chain of custody was intact.  
 4 The samples, when they got to the  
 5 laboratory, had been kept under the appropriate  
 6 circumstances. Once the sample is in the lab, if you  
 7 were to analyze those samples under the WADA code,  
 8 then you would need to adhere to an internal chain of  
 9 custody, which is what they're talking about before  
 10 when they said, yeah, you put your name on this sample  
 11 if you touched it.  
 12 Now, there's no requirement or  
 13 stipulation you've got to do that if you're conducting  
 14 research, but at the same time it's the same  
 15 laboratory that -- same personnel, the same  
 16 technicians as would be doing it if it was a doping  
 17 control. So materially nothing has changed, and the  
 18 suggestion that just because you didn't put this name  
 19 by this box to indicate who touched it, therefore,  
 20 these results should be discarded, I think, is too  
 21 extreme.  
 22 I don't think that -- it might exclude on  
 23 the letter of law pursuing a sanction under the WADA  
 24 code, but as for bringing the results themselves into  
 25 question is no suggestion it would.

Page 2384

1 Q. Now, in addition to your discussions -- and I  
 2 won't try to pronounce the name -- but with someone  
 3 with the lab, have you seen any written correspondence  
 4 regarding the chain of custody conditions?  
 5 A. Yeah, I have.  
 6 Q. Okay. And there have been -- I mean, you've  
 7 heard explanations for these positives that have been  
 8 offered, one of which was that the samples were  
 9 spiked. Do you recall hearing that?  
 10 A. I recall hearing it; I recall seeing it; I  
 11 recall reading it, too, I think.  
 12 Q. Okay. Do you think that that is an  
 13 explanation of how Mr. Armstrong was ultimately  
 14 associated with positive samples, by spiking?  
 15 A. I think that's -- it's shaving, like, the  
 16 thinnest layer off and saying, look, this is why these  
 17 results look like this and discarding the whole body  
 18 of evidence which suggests it's not. Now, I was  
 19 approached by a reporter and asked, you know, in your  
 20 opinion, is this a valid explanation, that the sample  
 21 was spiked, and I said, no, it's not.  
 22 The -- the notion that they were spiked,  
 23 first of all, invokes that the sam -- the laboratory  
 24 somehow had a motive to spike the samples. Now, there  
 25 was no reason to do that. And, secondly, if we narrow

Page 2385

1 it down on the Lance Armstrong samples, it infers that  
 2 the laboratory knew which samples were Lance  
 3 Armstrong's.  
 4 Now, even in this hearing, it's been  
 5 acknowledged that there was no way the lab could have  
 6 known because that key wasn't released by Armstrong  
 7 until after these results had been finished and -- and  
 8 sent to WADA. And, thirdly, it infers that somehow  
 9 the lab was able to mimic the spiking, not knowing  
 10 which samples corresponded with which day of the Tour  
 11 de France to replicate which -- to replicate a patent  
 12 abuse which very, very closely resembles what I would  
 13 suspect to see in an athlete actually using EPO.  
 14 Q. Now, we've also heard a possible explanation,  
 15 that there was -- that these samples were old and  
 16 somehow degradation came into play. Do you recall  
 17 that?  
 18 A. I recall hearing it.  
 19 Q. Is that argument a satisfactory explanation,  
 20 in your mind?  
 21 A. No. It's -- it's as simple as this. If  
 22 there's EPO in a sample and it degrades, then the  
 23 level after it's degraded is going to be lower, it's  
 24 true that we -- a sample that's not stored correctly,  
 25 not -- not frozen or refrigerated, that that

Page 2386

1 degradation can happen. So it was there, and then it  
 2 disappears.  
 3 But there's no suggestion whatsoever that  
 4 you can somehow generate the EPO where there was none  
 5 before. That's not degradation. That's divine  
 6 intervention.  
 7 Q. Now --  
 8 A. Sorry. Probably not appropriate.  
 9 Q. With the -- some of the criticism that has  
 10 been leveled due to these samples not having an "A"  
 11 and a "B" sample and not following the WADA protocol,  
 12 would the lack of an "A" and "B" sample be a bar  
 13 against the governing bodies that were cycling to use  
 14 these results to sanction Armstrong?  
 15 A. I don't think so. I've -- automatic --  
 16 several years ago, I -- I consulted with -- with the  
 17 person responsible for reviewing the -- the doping  
 18 regulations of the International Olympic Committee,  
 19 and he told me in a personal conversation that he'd  
 20 reviewed everything that he could get his hands on,  
 21 and there was no stipulation anywhere that said, you  
 22 have to analyze an "A" and "B" sample.  
 23 Now, clearly there's a precedent, and  
 24 it's, I think, become accepted that you do analyze the  
 25 "A" and the "B," and the WADA code says that's what

Page 2387

1 you should do. But the WADA code also says that you  
 2 can look at evidence -- other evidence which doesn't  
 3 fall under the "A" and "B" category. I mean, to take  
 4 it to -- to the next stage is being -- doping  
 5 sanctions imposed when there was zero tests done,  
 6 neither an "A" or a "B." As well, you've got athletes  
 7 who have been found guilty by only an "A" sample, and  
 8 they said, look, you know, hands up, I did it.  
 9 And there was an Australian athlete just  
 10 recently who -- who declined the "B" analysis, and  
 11 based on just the "A," he was found to have -- given a  
 12 doping sanction -- doping infraction.  
 13 Q. Now, in -- in examining these 1999 results,  
 14 have you been able to do comparisons of those results  
 15 with Mr. Armstrong's performance in the 1999 Tour de  
 16 France?  
 17 A. Yeah. I overlaid the -- the results and the  
 18 dates from the doping control forms with the -- the  
 19 stages that were -- were raced in '99.  
 20 Q. Okay. And if we can look at Respondents'  
 21 Exhibit 76 in front of you there, is this the study  
 22 that you're referring to?  
 23 A. Yes, it is.  
 24 Q. Can you tell us, when you did this  
 25 comparison, what is it that you found?

Page 2388

1 A. Well, just -- just very quickly, the -- that  
 2 text I've cut and pasted from the website -- I think  
 3 it was Cycling News. I'm not sure. And essentially  
 4 they've got a -- a record of who did what at each  
 5 particular stage, and that's where the -- the text and  
 6 the performance comes from.  
 7 The lines that the individual paragraph  
 8 comes from, the doping control forms, where it notes  
 9 the time that the athletes tested, and then this value  
 10 I took from the LNDD results where they analyzed the  
 11 sample and using the most common criteria which was  
 12 that middle column of the result sheet listing the  
 13 percentage of basic Isoforms that the lab found in  
 14 each sample corresponding to each day of the race.  
 15 Q. And for a nonscientist, like myself, what  
 16 is -- what does an examination of the basic Isoforms  
 17 reveal?  
 18 A. The significance of percentage is that  
 19 there's -- there's some overlay in the EPO that you've  
 20 got naturally in your body and the EPO that you inject  
 21 that's common EPO. Now, they take account of that.  
 22 They say, well, if we find one percent of basic  
 23 Isoforms, we're not going say that that means you've  
 24 doped.  
 25 And so they set a threshold that is

Page 2389

1 roughly around 80 percent, and they said that if you  
 2 exceed 80 percent, then the chances of that happening  
 3 by -- by chance or the fact that you're some sort of  
 4 an unusual individual is so remote that we can't  
 5 really impose a sanction. Now, generally you'd find  
 6 that that percentage declines if you've had an  
 7 injection, you know, to 90 percent or so after a day,  
 8 24 hours.  
 9 To find 100 percent -- we've done these  
 10 studies, and we collected urine samples every couple  
 11 of hours and monitored the percentage that we found in  
 12 those urines. That, to me, is consistent with an  
 13 injection that was received within just a couple of  
 14 hours before the sample had been collected.  
 15 Now, to me, that's significant because  
 16 that day was probably 6.8 kilometer race, and it would  
 17 have been over and done with early in the morning and  
 18 samples collected, done deal. Now, that's, to my --  
 19 my mind, why that result is the only one that I've  
 20 seen that had 100 percent Isoforms, but that is  
 21 consistent with an injection that was received within  
 22 just a few hours.  
 23 Q. And just so we're clear, this -- Respondents'  
 24 76, which is about three pages long, the information  
 25 comes from public data about the performance and the

Page 2390

1 tour stage by stage, and Respondents' 44, which is the  
 2 information associated with the L'Equipe reported  
 3 test; is that correct?  
 4 A. Yeah, yeah.  
 5 Q. Now, you know, walk us through here, you  
 6 know, what you see in the first few stages after the  
 7 prolog.  
 8 A. Well, my interpretation of this is that an  
 9 injection -- this is consistent with an injection that  
 10 was taken early in the morning on the 3rd, and as  
 11 we've seen in our research, the next day the  
 12 percentages are going to be lower because EPO stays in  
 13 your circulation for three or four days, so the  
 14 percentage would come down.  
 15 Armstrong wasn't tested on this day, and  
 16 then there's stages three, four, five, six, which,  
 17 again, he wasn't tested, including seven, because  
 18 he -- he wasn't leading. They test the top three  
 19 riders, and then some randomly selected athletes, as  
 20 well.  
 21 On this day, the -- well, this website  
 22 called "The Race of Truth," was when Armstrong  
 23 regained the lead, and so he was tested. When the  
 24 laboratory analyzed the sample that corresponded with  
 25 that day, they found that if they used the first

Page 2391

1 column, visual interpretation, which has been used to  
 2 impose a sanction in several labs, he would have been  
 3 declared positive.  
 4 They didn't report any percentages for  
 5 that sample, which is where -- I've noted it here.  
 6 Then you've got a rest day. Stage nine he's tested  
 7 again, and you see 96.6 percent. Now, that's less  
 8 than 100 percent obviously, and, again, it's  
 9 consistent with an injection that he would have  
 10 received -- could have received earlier in the --  
 11 earlier in the day, and it falls again.  
 12 The next day they test the samples, and  
 13 there's 88.7 percent. Now, that's what we saw in our  
 14 research when we tested an athlete every couple of  
 15 hours. The percentages come down. For whatever  
 16 reason, there was -- the sample corresponding to the  
 17 number in the doping control forms wasn't analyzed, so  
 18 no results were produced.  
 19 THE WITNESS: Could you go to the next  
 20 page?  
 21 A. He was tested on the 12th stage, 95.2  
 22 percent; tested the day after, and again it came back  
 23 as visually positive, but using the other way to  
 24 interpret the results, it was too weak to provide a  
 25 percentage of ISO forms. And then on the 14th stage,

Page 2392

1 89.4 percent. There was a rest day. From this point  
 2 on, Armstrong had a 4 minute, 44 second lead which is  
 3 enormous. Once you've come out of the mountains,  
 4 then -- the Discovery video clearly pointed out, then  
 5 it's job of the team to carry the -- the leader to the  
 6 end, and once the hard stuff is over, then you're  
 7 relying on the team.  
 8 I find it unusual that from that point  
 9 forward, there was never enough EPO in any of  
 10 Armstrong's urine samples to report a result.  
 11 Q. Why is that unusual?  
 12 A. It's unusual because when an athlete stops  
 13 taking EPO, it is no longer the injected EPO that gets  
 14 into urine. Their own kidney has shut down production  
 15 of EPO because the body recognizes that there's too  
 16 much blood in his circulation. It suppresses EPO  
 17 production so it gives your body a chance to come to  
 18 its -- its natural level.  
 19 It's consistent with not finding enough  
 20 EPO in the sample to analyze, that an athlete -- you  
 21 see that when an athlete stops taking EPO injections.  
 22 And, again, this is where I'd suggest that even if the  
 23 laboratory was, for whatever reason, spiking samples,  
 24 without knowing which samples corresponded to which  
 25 day, the fact that there was this consistent patent

Page 2393

1 before and after, to me, it's -- it's inconceivable  
 2 that -- that it could be a -- a result of deliberate  
 3 tampering.  
 4 Q. Now, Dr. Ashenden, I want to ask you --  
 5 you've told us a lot of things this afternoon.  
 6 Looking at all of the evidence that you've seen, in  
 7 your own experience as a scientist and expert in this  
 8 field, do you reach a conclusion as to whether  
 9 Mr. Armstrong has used performance-enhancing drugs in  
 10 his career?  
 11 A. I think that as a physiologist, I look at  
 12 that unexplained jump in performance. As an  
 13 antidoping researcher, I look at the -- the strange  
 14 changes in the -- the blood. As a layperson, I look  
 15 at the admissions that he admitted to using these  
 16 banned drugs and that that would explain this  
 17 previously unexplained jump in performance.  
 18 I bring into the equation that you've  
 19 analyzed the samples, and it shows that he was using  
 20 EPO during the '99 Tour de France. I have to conclude  
 21 that beyond any reasonable doubt, he had used  
 22 performance-enhancing drugs.  
 23 MR. TOWNS: Thank you. I pass the  
 24 witness.  
 25 (Break from 3:47 p.m. to 4:03 p.m.)

Page 2394

1 ARBITRATOR FAULKNER: Why don't we  
 2 resume, and we'll start with cross-examination.  
 3 MR. LEVINSTEIN: Thank you.  
 4 CROSS-EXAMINATION  
 5 BY MR. LEVINSTEIN:  
 6 Q. You were first retained by SCA in April of  
 7 2005?  
 8 A. Or thereabouts, yeah.  
 9 Q. That's when they contacted you to ask you to  
 10 be an expert witness?  
 11 A. Yeah. It was proposing that -- proposing  
 12 that I -- I would be. I don't think they retained me  
 13 straightaway.  
 14 Q. Okay. It's hard to understand what -- what  
 15 did you say?  
 16 A. I'll try to my loosen my tie, then I'll get  
 17 more air into my lungs.  
 18 I -- I don't think the first contact was  
 19 when they actually retained me, but they -- they  
 20 contacted me somewhere around that time frame.  
 21 Q. Okay. Were you aware that they'd already  
 22 denied the claim four months earlier before they  
 23 retained you?  
 24 A. No.  
 25 Q. Did they discuss with you the nature of the

Page 2395

1 case?  
 2 A. No. Oh, well, you know, in general terms  
 3 what it was about, yeah, but not the sort of, what I  
 4 would call, legal aspect of it, no.  
 5 Q. What did they tell you they needed you  
 6 eventually to testify to?  
 7 A. They wanted me to -- at that point in time  
 8 they said, we'd like you to -- to look at longitudinal  
 9 blood results. Would you be able to look at those and  
 10 advise on whether it's, you know, consistent with  
 11 doping.  
 12 Q. Okay. And in your deposition, you mentioned  
 13 a few blood results, but I don't think you mentioned  
 14 any longitudinal blood results today. So did they  
 15 ever show you longitudinal blood results?  
 16 A. Well, yes. Before I got here to Dallas at  
 17 this hearing?  
 18 Q. Yes.  
 19 A. All I ever saw was the three values that were  
 20 in LA Confidential, and I kept saying, you know, when  
 21 are you going to send these results, and they kept  
 22 saying, well, they haven't been produced, so it -- it  
 23 was a bit frustrating.  
 24 Q. Okay. So you never did get longitudinal  
 25 blood results from which you could conclude one way or

Page 2396

1 the other whether Lance Armstrong used  
 2 performance-enhancing drugs?  
 3 A. Well, now once I got here, I did see a few of  
 4 the results, and like we pointed out with those USSC  
 5 results, that, to me, is -- is strange.  
 6 Q. Okay. So what you're talking about -- the  
 7 only data point you're talking about now are these two  
 8 numbers from 1991, the -- the results that you say  
 9 look strange?  
 10 A. No.  
 11 Q. Well, the USSC results you're talking about  
 12 were two hematocrit readings, one of 48 -- what were  
 13 the two numbers?  
 14 ARBITRATOR LYON: 48 and 46.  
 15 Q. (By Mr. Levinstein) 46.7, I think?  
 16 MR. TILLOTSON: 48.8.  
 17 Q. (By Mr. Levinstein) 48.8. And this was in  
 18 1991; correct?  
 19 A. Yeah.  
 20 Q. One in June of '91 of 46.7, 6/24/91 --  
 21 A. Yeah.  
 22 Q. -- and one in December of 48? Okay.  
 23 A. 48.8.  
 24 Q. And you think that that -- how old was Lance  
 25 Armstrong in 1991?

Page 2397

1 A. Roughly 20.  
 2 Q. Okay. And is it your testimony today that  
 3 you believe he was involved in artificially changing  
 4 his hematocrit in 1991?  
 5 A. I would say that those values when held up  
 6 against the values that you see later on are  
 7 consistent with blood manipulation.  
 8 ARBITRATOR LYON: Consistent with what?  
 9 THE WITNESS: Are consistent with blood  
 10 manipulation.  
 11 Q. (By Mr. Levinstein) Where were those readings  
 12 taken?  
 13 A. Can I have a look at the --  
 14 Q. Sure.  
 15 A. -- the thing? Where was that? Which --  
 16 ARBITRATOR CHERNICK: 118.  
 17 MR. BREEN: Actually it's loose.  
 18 A. Which -- which one would you like me to look  
 19 at?  
 20 Q. (By Mr. Levinstein) Well, either one of them.  
 21 Where were they taken?  
 22 A. Okay. Samples were analyzed in Pikes Peak  
 23 Diagnostic Service, and I haven't heard anything to  
 24 suggest otherwise that there were -- that samples were  
 25 taken when he was at the USSC.

Page 2398

1 Q. Okay. Well, let's talk about hematocrit.  
 2 Why don't you tell us the things that can change  
 3 someone's hematocrit. First, the biological things  
 4 that can change. Let's say, it's me, and you're going  
 5 to take my hematocrit a bunch of different times.  
 6 What factors could change my hematocrit reading?  
 7 A. Your posture.  
 8 Q. Posture?  
 9 A. The -- do you understand the word "posture"  
 10 or not.  
 11 Q. Standing up straight or sitting down.  
 12 A. Yeah.  
 13 Q. Okay.  
 14 A. Posture. And your hydration status, whether  
 15 or not you're at altitude, whether you've been  
 16 standing on your head, whether you've used saline  
 17 infusions. Those sort of things.  
 18 Q. What else?  
 19 A. Do you want me to list every possible thing?  
 20 Q. Sure.  
 21 A. Well, then, you know, that doesn't mean  
 22 could. I mean -- what else? Exercise, taking EPO,  
 23 using blood transfusion. I think that would be a  
 24 representative sample for what we're talking about  
 25 here.

Page 2399

1 Q. Okay. So the amount of exercise that you've  
 2 been doing recently can affect your hematocrit?  
 3 A. No, not the amount. It's more that if he'd  
 4 just got off his bike after doing a really intense  
 5 effort, then you would expect his hematocrit to  
 6 fluctuate.  
 7 Q. Okay. So training can't increase the plasma  
 8 volume and lower the number?  
 9 A. Yes, it can.  
 10 Q. So training and exercise can affect your  
 11 hematocrit?  
 12 A. Yeah. An endurance athlete typically has  
 13 lower hematocrits from a typical person, and from what  
 14 I can understand, he was in training at the time  
 15 when -- when this was taken.  
 16 Q. Do you understand Colorado Springs is at  
 17 altitude?  
 18 A. Yeah.  
 19 Q. Okay. Does diet affect hematocrit?  
 20 A. I know that it's said to, but I've never seen  
 21 any data to suggest that it does.  
 22 Q. Okay. Let's start for a second, you're not a  
 23 hematologist; right?  
 24 A. Right.  
 25 Q. You're not a physician?

Page 2400

1 A. No.  
 2 Q. You don't spend your day generally looking at  
 3 people's hematocrit in terms of treating patients?  
 4 A. I spend too much of my day looking at  
 5 athletes' hematocrits. That's what I do.  
 6 Q. Okay. Let's say you got on a plane and flew  
 7 across the Atlantic. Does it change your hematocrit?  
 8 A. While you're on the plane?  
 9 Q. When you land, does your hematocrit differ  
 10 because you've been on a plane?  
 11 A. Do you mean sitting down eight hours in a  
 12 plane seat? It may.  
 13 Q. Okay. If you're nervous, does it change your  
 14 hematocrit?  
 15 A. Well, now you're starting to get in -- can  
 16 you give me an example of what you call "change"?  
 17 Like, what do you call "change," and then I'll try  
 18 and answer your question.  
 19 Q. Tell me how much it can change your  
 20 hematocrit because you are in a state of nervousness  
 21 and anxiety for a period of time.  
 22 A. Well, how nervous are you?  
 23 Q. What -- very nervous.  
 24 A. How I can answer your question?  
 25 Q. Well, can it cause significant change in your

Page 2401

1 hematocrit?  
 2 A. I've never had to factor whether or not  
 3 you're nervous into looking at hematocrit values. No,  
 4 I haven't.  
 5 Q. Okay. Do you know if physicians do?  
 6 A. I know that physicians don't understand a lot  
 7 about hematocrit, so they may well do it that way.  
 8 Q. Okay. About technical variability? Does how  
 9 the blood is drawn affect hematocrit?  
 10 A. It can.  
 11 Q. And whether you're standing or sitting can  
 12 affect the hematocrit?  
 13 A. Yeah. We talked about that.  
 14 Q. And applying a tourniquet and how long the  
 15 tourniquet is on before you take the blood can affect  
 16 hematocrit?  
 17 A. Yeah.  
 18 Q. Okay. And are you suggesting that a -- a  
 19 difference between -- well, first, do you have a view  
 20 on what is Lance Armstrong's normal hematocrit?  
 21 A. Yeah. Based on what I've seen, I'd say it's  
 22 about 43.  
 23 Q. Okay. And what's that based on?  
 24 A. The -- the medical results where he's having  
 25 blood checks pretty frequently, the reports in LA

Page 2402

1 Confidential. And that's pretty much all I've seen.  
 2 Q. Okay. Which blood checks?  
 3 A. His personal reports, as well.  
 4 Q. Which blood checks? You said, the blood  
 5 checks where he's having his blood checked fairly  
 6 regularly. What are you talking about?  
 7 A. When he was at the hospital.  
 8 Q. Okay. And so it's your belief that 43, 44 is  
 9 his normal hematocrit?  
 10 A. If you asked me to -- to say, yes, I'll take  
 11 43.  
 12 Q. Okay. An average person, between the morning  
 13 and the evening, how much variability can there be in  
 14 their hematocrit? One day? Same day?  
 15 A. How much can there be?  
 16 Q. Yeah.  
 17 A. Well, it would depend on what they did during  
 18 the day.  
 19 Q. Skip EPO or saline infusion or blood  
 20 transfusions, but all the other things you do in the  
 21 day. Whether it's running around, whether it's  
 22 training, whether it's diet, how much can you change  
 23 your hematocrit from the morning to the evening?  
 24 A. I would say that maybe one percentage, two  
 25 percentage. Something like that -- ballpark.

Page 2403

1 Q. Okay. So two percent points would mean a 43  
 2 could become a 44?  
 3 A. Forty-three plus two is 45.  
 4 Q. Percentages you said?  
 5 A. Yeah, percentage points.  
 6 Q. Oh, percentage points?  
 7 A. Forty-three percent.  
 8 Q. I'm sorry. Percent is the --  
 9 A. Forty-five percent.  
 10 Q. So you'd go from 43 to 45 --  
 11 A. I said, you could.  
 12 Q. -- in the course of a day?  
 13 A. You could. I didn't say, you would. I said,  
 14 you could.  
 15 Q. Okay. And yet you're willing to tell us that  
 16 you think Lance Armstrong is 48 at altitude, which  
 17 means he was taking EPO or doing something improper in  
 18 1991?  
 19 A. No, that's not what I said.  
 20 Q. It was evidence of blood manipulation.  
 21 A. No. I said it was consistent with blood  
 22 manipulation.  
 23 Q. Well, we're here to try and figure out  
 24 whether he used performance-enhancing drugs. Do you  
 25 think it evidence that he was using

Page 2404

1 performance-enhancing drugs in 1991 or not?  
 2 A. Well, it evidences similar to consistent  
 3 because he got a similar reading.  
 4 Q. Well --  
 5 A. I mean, I've given you my answer. If you  
 6 don't accept my word, put in another word but as long  
 7 as it means the same thing.  
 8 Q. Well, you're an expert. Is it your opinion  
 9 that he was using performance-enhancing drugs in 1991?  
 10 A. Based only on the hematocrit of 48.8 and 46.7  
 11 and seeing that in a hospital room setting it's  
 12 consistent with 43, that, to me, is consistent, and  
 13 the UCI themselves would categorize that as suspicious  
 14 and flag that -- flag that athlete for EPO testing.  
 15 Q. Based on something that happened five years  
 16 earlier?  
 17 A. I'm -- I'm using the numbers, and I'm saying,  
 18 if an athlete came in with 48.8 and previously his  
 19 numbers had been 43, he would be flagged for urine  
 20 testing.  
 21 Q. If the testing was done in the same  
 22 circumstances? Not comparing testing at altitude  
 23 versus testing at ground level?  
 24 A. No. The UCI wouldn't distinguish.  
 25 Q. What UCI testing are you referring to?

Page 2405

1 A. Their health checks.  
 2 Q. Okay. Are you telling me that you're to  
 3 understand that the health check compares data from  
 4 today to hematocrit values from five years ago?  
 5 A. No.  
 6 Q. Okay. Let's -- you had three readings from  
 7 Ferrari's data. Do you recall that?  
 8 A. Yeah.  
 9 Q. And what were those numbers?  
 10 A. I don't recall the actual numbers.  
 11 Q. All right.  
 12 MR. LEVINSTEIN: Do we have that exhibit?  
 13 I don't know what number you put on it. SCA 1269 was  
 14 the Bates number?  
 15 MR. TILLOTSON: It's the excerpt from the  
 16 book? Is that what it is or --  
 17 MR. LEVINSTEIN: No. It's the chart from  
 18 Ferrari date --  
 19 MR. TILLOTSON: Oh.  
 20 MR. LEVINSTEIN: -- that you produced.  
 21 I've got enough copies at hand.  
 22 MR. TILLOTSON: I don't think we marked,  
 23 I guess, is what I'm saying, but you can mark it, and  
 24 we'll give it to him.  
 25 MR. LEVINSTEIN: Here are three of them.

Page 2406

1 (Claimant's Exhibit 143 was marked.)  
 2 Q. (By Mr. Levinstein) Is this the data from  
 3 Ferrari's file as you referred to?  
 4 A. I've never seen this.  
 5 Q. You've never seen that before?  
 6 A. No.  
 7 Q. All right. Let me find the one that you've  
 8 seen. It's the same -- did your numbers come from LA  
 9 Confidential, then?  
 10 A. The numbers I was talking about before, yeah.  
 11 Q. Okay.  
 12 MR. TILLOTSON: That would be --  
 13 MR. LEVINSTEIN: Does that have an  
 14 exhibit number? It's SCA 1543.  
 15 MR. CHERNICK: I think it's 25.  
 16 MR. TILLOTSON: An excerpt of the book is  
 17 25, Mark, and I'll turn it to that page.  
 18 MR. BREEN: I'll find the page for you,  
 19 Mark.  
 20 MR. LEVINSTEIN: If you want multiple  
 21 copies, I can --  
 22 MR. BREEN: They have them already.  
 23 MR. LEVINSTEIN: They have them?  
 24 MR. BREEN: Yeah.  
 25 MR. LEVINSTEIN: Okay.

Page 2407

1 ARBITRATOR CHERNICK: Tell us the Bates  
 2 number again, please.  
 3 MR. LEVINSTEIN: 1543.  
 4 MR. TILLOTSON: It's -- in our Exhibit  
 5 25, it's 1543. In the French version of the book  
 6 itself, it's page 321.  
 7 Q. (By Mr. Levinstein) During your deposition,  
 8 you testified that these three numbers were evidence  
 9 of blood manipulation, as well; correct?  
 10 A. Can I see my deposition, please?  
 11 Q. Sure. I don't know who's got it.  
 12 MR. BREEN: I've got my copy.  
 13 Q. (By Mr. Levinstein) Actually, to be specific,  
 14 you said, the only tenable explanation for these  
 15 three --  
 16 A. I was reading so --  
 17 Q. -- was blood manipulation.  
 18 Why don't you look at page 39 of your  
 19 deposition. I don't think they can put that up on the  
 20 screen.  
 21 ARBITRATOR FAULKNER: What was the  
 22 exhibit and page number?  
 23 THE WITNESS: Page 33.  
 24 MR. LEVINSTEIN: Thirty-nine. It's -- 38  
 25 and 39 is the discussion. I don't know the exhibit

Page 2408

1 number. I'm sorry. I don't know the exhibit number.  
 2 This is his deposition, so I don't -- there's no  
 3 exhibit number.  
 4 ARBITRATOR CHERNICK: It's not marked.  
 5 MR. FAULKNER: That's fine.  
 6 Q. (By Mr. Levinstein) I'll focus on the last  
 7 part.  
 8 Question: You said that it's the only  
 9 tenable explanation for those data points.  
 10 Answer: Yes.  
 11 Question: That means the only way to  
 12 explain those points is because he manipulated his  
 13 blood; correct?  
 14 Answer: And you said, no.  
 15 Question: I said, the only tenable  
 16 explanation, to my mind, is blood manipulation.  
 17 A. You know, I think, if you read through that  
 18 transcript -- you'll read through that transcript, you  
 19 will see you were hammering me on this over and over  
 20 and over, and that may well have slipped out. But I  
 21 think if you read my deposition, you will see that  
 22 that's not what I was trying to convey to you.  
 23 Q. Well, let's read the whole thing.  
 24 A. Well --  
 25 Q. You said, it was consistent with -- let's

Page 2409

1 focus on page 38.  
 2 You said, it was consistent with blood  
 3 manipulation, on page 38.  
 4 A. Uh-huh. Which is what I think I said earlier  
 5 today.  
 6 Q. I said, is it consistent with doing nothing  
 7 at all, and you said, no. It could be caused by many  
 8 other factors.  
 9 Well, how many is many?  
 10 Well, you tell me what other factors  
 11 could also explain those data points.  
 12 I don't have any other explanation.  
 13 Could training at altitude affect those  
 14 numbers?  
 15 Not the -- to that magnitude.  
 16 Okay. So you're going to tell me that  
 17 it's the only explanation for these data points, is  
 18 blood manipulation?  
 19 It's the only tenable explanation to my  
 20 mind.  
 21 We can keep going.  
 22 So basically it's three data points. Do  
 23 you believe you can conclude that this athlete  
 24 manipulated his blood in order to defeat the health  
 25 check?

Page 2410

1 No. That's not what I said.  
 2 Well, it's the only tenable explanation  
 3 you said to those data points, is blood  
 4 manipulation.  
 5 A. Yeah.  
 6 Q. Okay. So --  
 7 A. I mean, you've got a little bit of time.  
 8 Q. Do you disagree with that now? Is there --  
 9 is that not the only tenable explanation for those  
 10 points?  
 11 A. If you go back and read the previous -- where  
 12 is it -- where did you start asking me about this?  
 13 It's on page 36. And then on page 39, you get the  
 14 word that you're looking for, and then you hang my  
 15 deposition on that. I don't think that's a fair  
 16 representation of what I was trying to convey to you.  
 17 Q. Well, let's talk about these dates; okay?  
 18 December '97, February '98, June '98; okay?  
 19 A. It takes me a little to get -- for me to get  
 20 my head around the American dates, but, yeah.  
 21 Q. Sorry. I can't translate --  
 22 A. In Australia --  
 23 Q. -- transpose them for you. I understand.  
 24 A. Yeah. But -- okay.  
 25 Q. December '97, February '98, June '98. 41.2,

Page 2411

1 do you have an understanding of why his hematocrit  
 2 might have been down at 41.2?  
 3 A. Well, that's pretty much what you'd expect.  
 4 Q. I thought you said his normal was 43 to 44.  
 5 A. I think I said his normal would be 43. You  
 6 tried to say it was 44. 43 to 41.2 wouldn't raise any  
 7 concerns in my mind.  
 8 Q. Well, let's talk about what had happened to  
 9 Lance Armstrong in the year before 12/2/97.  
 10 A. Okay.  
 11 Q. All right. When did he get diagnosed with  
 12 cancer?  
 13 A. I'm going to say, October '96, but I can't  
 14 say for certain.  
 15 Q. And during cancer treatment, what happened to  
 16 his hematocrit?  
 17 A. Well, based on the only medical records we  
 18 were given, his hematocrit remained pretty much  
 19 stable. There was one point at the end where it fell  
 20 to -- if my memory is -- is it was 36 percent or  
 21 something like that, but there was -- I mean, there  
 22 was a letter from Dr. Nickels saying, please send all  
 23 further blood results for the next five days to  
 24 Indiana University Hospital.  
 25 We requested the results from the Indiana

Page 2412

1 University Hospital. They weren't given to us. For  
 2 some reason, those blood results were missing, so all  
 3 I can do is tell you up until the point that I was  
 4 given, the lowest data that I saw was 36 percent or  
 5 thereabouts.  
 6 Q. Just -- just -- I went upstairs today and  
 7 looked through the medical records for the first time.  
 8 You didn't notice in those records a document that's  
 9 tagged by your counsel that says, on October 18th,  
 10 '96, his hematocrit was down to 31.4?  
 11 A. I'll accept that perhaps that's a figure that  
 12 I had in mind. I mean, when I say, 36, that's my  
 13 recollection.  
 14 Q. And you didn't see the document that was also  
 15 tagged that says, on December 9, '96, his hematocrit  
 16 dropped to 27.9?  
 17 A. No.  
 18 Q. Okay. And his hemoglobin was down to 9.5?  
 19 A. No, I didn't see those.  
 20 Q. Okay. I don't have them with me right now  
 21 but I'm sure we can get copies, and we can get those  
 22 to you.  
 23 A. I -- I would be interested to see those blood  
 24 profiles during and after his chemotherapy. They  
 25 haven't been produced.

Page 2413

1 Q. They're in those documents that are in the  
 2 office that I --  
 3 A. Well, there's -- there's a wall.  
 4 Q. Okay.  
 5 A. And all of a sudden, the values are no longer  
 6 there.  
 7 Q. All right. So what happens to bone marrow  
 8 during chemotherapy?  
 9 A. The goal of chemotherapy is to destroy cells  
 10 that are multiplying, and your bone marrow where your  
 11 red cells are produced is multiplying cells, so  
 12 typically you would see a decline in red cell  
 13 production and white cell production more markedly but  
 14 also red cell production.  
 15 Q. And it's -- in other words, your bone marrow  
 16 has a hard time producing red blood cells after  
 17 chemotherapy? It's been damaged, and it doesn't  
 18 produce as many red blood cells?  
 19 A. My understanding is that the lowest value you  
 20 see is about 10 days after chemo stops, and then in a  
 21 healthy young male -- I shouldn't use the word  
 22 "healthy" -- in a young male, using Lance Armstrong,  
 23 you would expect they would rebound very quickly.  
 24 It's -- it is what you would expect to  
 25 see, so the low point, ten days after chemo stops, and

Page 2414

1 then it starts coming up.  
 2 Q. Oh. Well -- but you're aware the doctor was  
 3 sufficiently concerned that he was given EPO to get  
 4 his red blood cell count back up?  
 5 A. I'm aware that -- Dr. Nickels, is it?  
 6 Q. Yeah.  
 7 A. -- prescribed EPO.  
 8 Q. And through January of '97, he was giving EPO  
 9 to Lance Armstrong?  
 10 A. And, again, I would really like to see those  
 11 blood values because I couldn't find them in those  
 12 medical records.  
 13 Q. But --  
 14 A. I mean, you should -- as you see the bone  
 15 marrow responding, the EPO is starting to kick in, you  
 16 should see those values come up. Now, I don't know if  
 17 they did or not.  
 18 Q. So we don't know anything about what his  
 19 hematocrit values were between January of '97 and  
 20 December of '97 and how fast they came back up;  
 21 correct? You don't have that data?  
 22 A. Unless there's a data point somewhere that is  
 23 in that date range. My recollection is I can't  
 24 remember seeing any, but, I mean, they're not -- I'm  
 25 not sure of that.

Pages 2411 to 2414

Page 2415

1 Q. Well, what was Lance Armstrong doing  
 2 competitively in December of '97?  
 3 A. I guess I'd have to look at -- did Ed Coyle  
 4 talk about that in his paper? I -- I haven't got a  
 5 training history for Lance Armstrong, so I couldn't  
 6 tell you that.  
 7 Q. So you don't know whether he was training or  
 8 not when his numbers were 41.2 for his hematocrit?  
 9 A. February '97, did you say?  
 10 Q. I did.  
 11 A. Or December '97?  
 12 Q. I said December '97 --  
 13 A. Well --  
 14 Q. -- I think.  
 15 A. That's -- his chemo was in October '96,  
 16 December '96. So January '97 he stopped EPO. My  
 17 recollection is that he -- I think someone at the  
 18 hearing has said or it might have been Jay T.'s  
 19 deposition or something like that that he was back  
 20 sort of testing the water at that point in time. I  
 21 don't know. That's my impression.  
 22 Q. Okay. And February 14th, '98, what  
 23 happened -- what did he do between December '97 and  
 24 February '98, as far as competing or training?  
 25 A. I don't have his training records to be able

Page 2416

1 to tell you.  
 2 Q. Well, are you familiar with testimony about a  
 3 hypoxic tent?  
 4 A. No.  
 5 Q. Maybe with testimony that Lance Armstrong  
 6 uses a tent to simulate altitude? Has there been that  
 7 testimony in this case?  
 8 A. I think Lance Armstrong mentioned it, but he  
 9 didn't say when he was using it.  
 10 Q. Okay. Well, does --  
 11 A. Back in '97, '98, it wasn't a sort of -- as  
 12 common as what it is today. I mean, now you can buy  
 13 hypoxic tents over the Internet. Back then, it  
 14 wasn't -- it was a very -- a new area, if you will.  
 15 Q. So you didn't know that in early 1998, Lance  
 16 Armstrong used a hypoxic tent?  
 17 A. I don't know one way or another.  
 18 Q. Okay. Well, what is the effect of hypoxic  
 19 tent on hematocrit?  
 20 A. Pretty modest.  
 21 Q. And what percentage?  
 22 A. Maybe -- well, you've got to look at it --  
 23 are you saying when he's in the tent, while he's got  
 24 his head stuck in it, or the next morning when he  
 25 comes out of it, or at lunchtime after he's come out

Page 2417

1 of it? If you give me a time, I'll give you an answer  
 2 to --  
 3 Q. Let's assume you spend six weeks to two  
 4 months of training while using the hypoxic tent when  
 5 you're not training.  
 6 A. Yeah.  
 7 Q. What kind of change in your hematocrit can  
 8 you see?  
 9 A. It would be negligible, yeah. Maybe one  
 10 percent or something like that. I mean, it's -- it's  
 11 peddled by the manufacturers that this is a fantastic  
 12 way to increase your hematocrit. The evidence to  
 13 support it just isn't there. Studies have been done.  
 14 What effect does putting your head in a tent at night  
 15 have on, you know, hematocrit? We've done those  
 16 studies ourselves, and it's just not there.  
 17 But the manufacturers would have you  
 18 believe otherwise, and athletes who peddled products  
 19 for the manufacturers would have you believe  
 20 otherwise. And I'd go so far as to say athletes who  
 21 are using doping use this as an alibi for doping, as  
 22 well, so you've got to take what you hear with a  
 23 little bit of caution.  
 24 Q. So you're not aware of published studies that  
 25 say that if you sleep in a hypoxic tent and you train

Page 2418

1 at low altitude but you -- but you live in a hypoxic  
 2 tent at night, that it can cause two, three percent  
 3 increase in your hematocrit?  
 4 A. I don't doubt that there are studies like  
 5 that, but I would want to see that data because  
 6 personally I've done those comparable studies, and we  
 7 don't see it.  
 8 Q. All right. Let me ask you some questions  
 9 about your -- your background. You graduated from  
 10 college when? 1995?  
 11 A. School?  
 12 Q. Your undergraduate degree.  
 13 A. Oh, '95, yeah. Correct.  
 14 Q. And how old were you then?  
 15 A. I don't know.  
 16 Q. Well, when were you born?  
 17 A. I'm very shy about my age.  
 18 Q. When were you born?  
 19 A. I -- it's a personal detail that's got no  
 20 relevance to this. If you want to ask me how many  
 21 years I've been in the area, sure, but --  
 22 Q. No. I want to know how old you are.  
 23 A. I choose not to answer. Is it really  
 24 important?  
 25 ARBITRATOR FAULKNER: Okay. Doctor,

Page 2419

1 would you please humor the Tribunal? In court, I  
 2 could direct you to answer. I'll ask you to answer.  
 3 It is a very common, expected answer here, maybe not  
 4 in Australia. But if you'd be kind enough to tell us  
 5 how old you are, it'd be very helpful.  
 6 A. In '95, I would have been 30. You do math.  
 7 Q. (By Mr. Levinstein) Okay. So you graduated  
 8 from college in 19 -- what we call college or  
 9 university, in 1995?  
 10 A. (Witness nods head up and down.)  
 11 Q. And from '95 to 1999, you were a grad  
 12 student?  
 13 A. No.  
 14 Q. Were you a student during the entire period  
 15 from '95 to '99?  
 16 A. I'd have to remember when I was enrolled, but  
 17 I'd say, no.  
 18 Q. Okay. How long does it take in Australia to  
 19 go from a bachelor's degree to a Ph.D.?  
 20 A. Oh, it varies. I mean, there's -- there's no  
 21 stipulation of how long you have to spend. Some  
 22 people take six, seven years.  
 23 Q. Well, what's the shortest you can take?  
 24 A. There's no stipulation that I'm aware of.  
 25 Q. Okay. But a lot of the activities in which

Page 2420

1 you were engaged in that you've described from '95 to  
 2 '99 were as a graduate student?  
 3 A. No.  
 4 Q. Well, they were a part of your Ph.D.?  
 5 A. Program. I was doing a Ph.D. outside of my  
 6 work. I was employed.  
 7 Q. Okay.  
 8 A. And I was doing my Ph.D. -- you might call it  
 9 by correspondence. Is that -- do you have that term  
 10 here?  
 11 Q. We do.  
 12 Okay. So from '95 to '99, the only  
 13 degree you had when you were engaged in these  
 14 activities was an undergraduate degree?  
 15 A. I can't remember when the Ph.D. was awarded.  
 16 I couldn't answer that.  
 17 Q. Well, your resume says Ph.D. in 1999 from  
 18 James Cook University.  
 19 A. Okay. Well, depending on when in '99 it was  
 20 awarded, yeah.  
 21 Q. Okay. And shortly thereafter, you were  
 22 dismissed from the Institute of Sport?  
 23 A. Incorrect.  
 24 Q. Well, you were working for the Australian  
 25 Institute of Sport; correct?

Page 2421

1 A. When are you talking about now?  
 2 Q. Well, let's see. From '96 to 2000, you were  
 3 working as an exercise physiologist for the Australian  
 4 Institute of Sport?  
 5 A. Yeah.  
 6 Q. And then you were a consultant on an EPO 2002  
 7 project -- 2000 project for the Australian Institute  
 8 of Sport?  
 9 A. Yeah.  
 10 Q. Did that project end in 2000, the EPO 2000  
 11 project?  
 12 A. No.  
 13 Q. When? In 2001?  
 14 A. No. Probably two or three years after that.  
 15 Q. Okay. And did concern rise that the conduct  
 16 you were engaged in raised ethical concerns?  
 17 A. No, no. That's --  
 18 Q. Did you under --  
 19 A. Do you want me to answer?  
 20 ARBITRATOR FAULKNER: Question his  
 21 questions, and I am sure that Mr. Towns will have lots  
 22 more questions for you afterwards.  
 23 A. No. That's incorrect.  
 24 Q. (By Mr. Levinstein) Okay. Were there  
 25 concerns expressed about whether the conduct you were

Page 2422

1 engaging in was a conflict of interest?  
 2 A. No, that's not an accurate representation.  
 3 Q. Well, did you receive a letter formally  
 4 reprimanding you for conduct beyond your role as a  
 5 public servant?  
 6 A. That letter from the director of AIS was sent  
 7 to me while I was overseas, and we -- your rebuttal  
 8 witness and I -- at the time we were collaborating to  
 9 get this doping research program underway. Now, at  
 10 the time I was arguing that there was a better way to  
 11 stop cheats -- blood dopers in sport by using a safe  
 12 program which Professor -- Dr. Stray-Gundersen was  
 13 advocating.  
 14 Now, I took the stance that it was better  
 15 to have that program utilized than do simply what we  
 16 had got to by the time of the Sydney 2000 Olympics.  
 17 Now, the Australian government took the stance that,  
 18 no, we paid for this research. This is our stance.  
 19 We're not getting any better.  
 20 I took issue with that, and I took a  
 21 stance that, no, I am going to advocate this -- this  
 22 approach, which I felt genuinely was better than what  
 23 was in place. The director of AIS wrote me a letter  
 24 and said, you're an employee of the Australian  
 25 government, and you are recommending an approach which

Page 2423

1 is not consistent with our adopted protocol, and it  
 2 was -- I think he said, you know, you need to be aware  
 3 that what you're doing is -- is beyond your I -- well,  
 4 I'll have to look at the letter to get the exact  
 5 wordings.  
 6 And based on that letter, which I  
 7 received when I was in London -- and I contacted him  
 8 and said, you know, this is ridiculous. You know,  
 9 you're being -- he was being pigeonholed by some  
 10 superiors of his, and I said to him, this needs to be  
 11 resolved because it's nonsense.  
 12 That letter's on the record, as well. He  
 13 said, look, just wait there. I was in London from  
 14 what I can recollect. The Australian government was  
 15 paying me, and I was up in London for a week until  
 16 they tried to resolve the confusion here in Australia.  
 17 They were never able to, so in the end, I had to  
 18 cancel a whole lot of appointments, came back to  
 19 Australia to deal with it one on one.  
 20 Q. Did you receive a letter formally  
 21 reprimanding you for conduct beyond your role as a  
 22 public servant?  
 23 A. No. My recollection of that letter -- and I  
 24 can go back and have a look at it -- is --  
 25 Q. Could we put up your deposition to page 174?

Page 2424

1 MR. TILLOTSON: Well, let him finish his  
 2 answer.  
 3 MR. LEVINSTEIN: Sure. I'm sorry.  
 4 Q. (By Mr. Levinstein) Go ahead.  
 5 A. My recollection is that the actual wording  
 6 was that it was alerting me to the fact that I was  
 7 proposing or endorsing a stance that was inconsistent  
 8 with the government.  
 9 Q. Okay.  
 10 MR. LEVINSTEIN: Could you put up his  
 11 deposition, page 174, please?  
 12 A. Page 174?  
 13 Q. (By Mr. Levinstein) Yeah. Line 8.  
 14 Question: Well, did you receive a letter  
 15 formally reprimanding you for conduct beyond your role  
 16 as a public servant?  
 17 Answer: Yeah.  
 18 A. Yeah. Now, to be perfectly frank, that  
 19 question was at the end of what turned out to a five  
 20 and half -- five-and-a-half-hour deposition. It  
 21 caught me by surprise, and I hadn't visited that issue  
 22 for quite some time. After you asked me that  
 23 question, I made a point of going back and looking at  
 24 my records to search that letter, and I've got that  
 25 letter on my computer, from what I can recollect.

Page 2425

1 And now having reviewed that letter, I  
 2 would say, well, no, that's probably misrepresenting.  
 3 At the time I said, yes. It -- it caught me by  
 4 surprise.  
 5 Q. Have you ever been a faculty member at a  
 6 university?  
 7 A. Yeah. I'm currently -- what do they call it?  
 8 I'm drawing a blank. At the University of Melbourne  
 9 in the Department of Medicine Stats, so I'm a -- so  
 10 I'm some sort of a fellow.  
 11 Q. Is there a reason it doesn't appear on your  
 12 CV?  
 13 A. Personally I don't give it a lot of weight.  
 14 Q. So do -- are you employed as a professor to  
 15 teach classes there?  
 16 A. No, no.  
 17 Q. Okay. So you're not a member of the faculty?  
 18 A. Well, I think they categorize it that I am,  
 19 but, frankly, it's more -- it's -- it's paperwork  
 20 which I don't spend a lot of the time doing.  
 21 Q. Okay. So -- and since you got your Ph.D.,  
 22 you've -- primarily of the, I guess, six years since  
 23 you've got your Ph.D., for the past four, you've been  
 24 the project coordinator of this group you created  
 25 called the Science and Industry Against Blood Doping?

Page 2426

1 A. It's a consortium, yeah.  
 2 Q. Okay. And its funding comes from WADA and  
 3 USADA?  
 4 A. WADA, USADA, and now the Danish Antidoping  
 5 Agency, as well.  
 6 ARBITRATOR LYON: The who?  
 7 THE WITNESS: Danish from Denmark.  
 8 Q. (By Mr. Levinstein) So you've been employed  
 9 by the drug testing organizations to do research?  
 10 A. No, they don't employ me. They provide a  
 11 grant, and I take a salary from that grant.  
 12 Q. Okay. So you have a group of people who are  
 13 part of your project, and they apply for grants  
 14 under -- with your -- which you're a part of as the  
 15 project coordinator, and you, as the project  
 16 coordinator, supervise the projects that are given to  
 17 this group?  
 18 A. I thought you would have a pretty good  
 19 understanding of this because in the Hamilton case,  
 20 you dwelled on this for hours and hours. It's  
 21 clear --  
 22 Q. I did?  
 23 A. Well, you and Jacobs are interchangeable, in  
 24 my view.  
 25 Yes, I'm coordinating a research

Page 2427

1 consortium.  
2 Q. Okay. I'm not counsel in Jacob's case, just  
3 for the record so --  
4 A. Well, it's pretty clear they're --  
5 MR. TILLOTSON: Mike -- Mike -- Mike,  
6 stick to answering the questions, please.  
7 THE WITNESS: Oh, I'm so sorry.  
8 Q. (By Mr. Levinstein) Go ahead. Your answer to  
9 that, what you --  
10 A. What was your question? I'm sorry.  
11 Q. The sports -- sorry. The Science Industry  
12 Against Blood Doping research project, what's your  
13 role in that project?  
14 A. Project coordinator.  
15 Q. Okay. So, for example, when you testified  
16 about the urine testing that was done in connection  
17 with one of the projects, actually the samples were  
18 simply sent to the French lab, and they reported the  
19 results?  
20 A. The study was done in France.  
21 Q. Right. And you've never worked in a doping  
22 control laboratory?  
23 A. No.  
24 Q. And you've never done an EPO test?  
25 A. No.

Page 2428

1 Q. All right. And you've never prepared a  
2 document like the chart you showed us with the '99  
3 samples?  
4 A. Typically they're sent to me, but, no, I  
5 prepared similar things when I submit the reports to  
6 WADA.  
7 Q. Well -- but you've never done a report of EPO  
8 testing or any kind of urine testing?  
9 A. I have.  
10 Q. Well, what urine testing have you conducted  
11 personally?  
12 A. In 1995 in France, we were funded by the  
13 World Antidoping Agency to examine whether titrating  
14 EPO dosages would have an effect on urine profiles.  
15 We -- that was conducted by the Paris lab. They  
16 forwarded the -- the results to me. I reformatted  
17 them, put them into a report, and submitted that to  
18 WADA in -- in compliance with the grant that they've  
19 given us.  
20 Q. But all you did was take data from them and  
21 put it in a chart; right?  
22 A. No. That's not accurate.  
23 Q. You said you reformatted it and put it into a  
24 report for WADA.  
25 A. Yeah, okay. I was paraphrasing, but, I mean,

Page 2429

1 in the -- in the middle there was a -- an exchange  
2 for -- oh, I don't know. I hesitate to put a time  
3 frame on it, but at least weeks and perhaps months  
4 where we were looking at these results and discussing  
5 them. So it's not accurate to say I just took the  
6 values, pasted it, and sent it off.  
7 Q. Okay. Let's go back to those three values  
8 that we were talking about from LA Confidential.  
9 You said those numbers are consistent  
10 with blood manipulation?  
11 A. Yeah.  
12 Q. Okay. So is it your view that Lance  
13 Armstrong would have done something to reduce his  
14 hematocrit to the 41 level?  
15 A. You would have a reduction in hematocrit if  
16 your natural value was 46.7. I would find that  
17 curious, but I think the reality is the middle value  
18 is abnormally high, so it's not as if you reduced his  
19 value. It's that that's normal, and then he's -- it's  
20 consistent with blood manipulation that arises to 46.  
21 So that's kind of a flip side to what you  
22 were alluding to.  
23 Q. Well, what events did he compete in in  
24 February of '98?  
25 A. I don't know.

Page 2430

1 Q. Were you aware that he was starting to  
2 attempt a comeback?  
3 A. If you say so. I'll accept what you say.  
4 Q. Well, in order to assess these values and  
5 whether they show blood manipulation, wouldn't you  
6 want to know what events were coming up and not coming  
7 up?  
8 A. No. Because we've been doing this research  
9 now really for -- for three or four years, and we  
10 have -- it's -- I don't want to get too technical  
11 about it.  
12 If you apply what's called "analysis of  
13 variance," you can tease out, well, what effect has  
14 the time of the day been? What effect has the  
15 training been? What effect has posture been?  
16 Now, we've collected probably -- it would  
17 be close to 3,000 samples and analyzed all of those,  
18 used these analysis of variance. And it allows you to  
19 look at, well, what's what and who and this, this, and  
20 this. Now, we've progressed that on to a point now  
21 where we're confident when you take all of those  
22 factors we know we can't control for them, so we've  
23 built that into the model.  
24 We now look at blood values, and I can  
25 confidently look at that and say, well, I know based

Page 2431

1 on 3,000 samples and this analysis of variance which  
 2 reveals the different components that, yes, that is  
 3 consistent with blood manipulation.  
 4 Q. Consistent with?  
 5 A. Yes.  
 6 Q. Does that mean there was blood manipulation  
 7 involved, or there wasn't?  
 8 A. I -- do you want me to spell consistent?  
 9 That's the word I'm choosing to use.  
 10 Q. Well, again, it's also -- could be consistent  
 11 with not blood manipulation; correct? Or it isn't?  
 12 A. I said, it is consistent, so it can't not be  
 13 consistent. I said, it is consistent with blood  
 14 manipulation.  
 15 Q. Okay. Does that mean you believe that Lance  
 16 Armstrong was involved in blood manipulation between  
 17 December '97 and February of '98?  
 18 A. Looking at this data, I would not exclude  
 19 that, no.  
 20 Q. Well, I'm not asking you to not exclude it.  
 21 You're here to testify, and you've testified you  
 22 believe Lance Armstrong was using  
 23 performance-enhancing drugs; all right? We want to  
 24 know what you base that on, and if it's just --  
 25 nothing proves but taking it all in one big picture,

Page 2432

1 that's one thing. I'm trying to identify individual  
 2 things on which you're basing your opinion and ask you  
 3 whether they show it or not.  
 4 So if you can't answer that, that's okay,  
 5 but to a legal -- you know, a reasonable certainty,  
 6 are you -- you testified some things beyond a  
 7 reasonable doubt, you say. So I want to know what  
 8 level of certainty you have that the 46.7 reading in  
 9 February '98 was due to blood manipulation.  
 10 A. Okay. So you want me to -- I mean, I think I  
 11 made it clear in my deposition -- in fact, I'm sure I  
 12 did in the end -- that I was taking a whole lot of  
 13 things into account. Now, you want to narrow that out  
 14 and say, now let's just look at this component.  
 15 Okay. I'll look at this component, and  
 16 you want me to ascribe a level of certainty. I'm not  
 17 a lawyer, but I would say that it exceeds mere  
 18 chance.  
 19 Q. I'm -- I don't know what that means. Mere  
 20 chances of one in 20? I don't know what mere chance  
 21 is probabilitywise.  
 22 A. Well, I'm trying to ascribe a -- a legal --  
 23 you want me to use words to describe numbers. Now,  
 24 there's going to be some personal interpretation.  
 25 That's the words that I would use to convey what I

Page 2433

1 understand when I look at these numbers.  
 2 Q. Let me ask you: Has any athlete ever, ever  
 3 been sanctioned by anybody because of a change in  
 4 their hematocrit level?  
 5 A. No.  
 6 Q. So no one's ever taken hematocrit levels and  
 7 come into a court or a CAS arbitration or any  
 8 proceedings and based on hematocrit levels alone  
 9 sanctioned an athlete; correct?  
 10 A. Not yet.  
 11 Q. Okay. But you think that that should happen?  
 12 A. No, that's not accurate.  
 13 Q. Well, you think that that data alone can be  
 14 the basis for declaring athletes guilty of using  
 15 performance-enhancing drugs.  
 16 A. When have I said that?  
 17 Q. Well, isn't the whole idea of this  
 18 longitudinal study that what you're advocating is by  
 19 taking blood samples for a long enough period of time,  
 20 if there's too much variation, that can be the basis  
 21 for sanctioning an athlete?  
 22 A. I think if you look back, this notion of  
 23 longitudinal blood collection has been -- it's -- work  
 24 in progress isn't quite what I would want to convey,  
 25 but certainly there was an optimism around the year

Page 2434

1 2000 that, yes, that would be the scenario.  
 2 I guess now that I have seen a lot of  
 3 data and I've been through this analysis over and over  
 4 and over, I now would take the position that it's  
 5 certainly a tool to identify suspicious changes, and I  
 6 think it's got a very potent application in that  
 7 respect. Whether or not we'll ever see the day when  
 8 just hematocrit alone is used to sanction an athlete,  
 9 I think I would be -- I'd be skeptical. I don't think  
 10 it will happen. It may.  
 11 Q. Because you don't think it's enough on which  
 12 you can reach a conclusion to a sufficient level of  
 13 probability in order to sanction an athlete?  
 14 A. In order to impose a sanction?  
 15 Q. Yeah.  
 16 A. I think the values would have to be so  
 17 extreme that the athlete would have to be completely  
 18 stupid to have presented himself with those values, so  
 19 I doubt it would happen.  
 20 Q. Okay. And these aren't close to those kind  
 21 of extremes you're talking about?  
 22 A. To impose a sanction on these three values?  
 23 Q. Yes.  
 24 A. No. I wouldn't be confident prosecuting that  
 25 case, no.

Page 2435

1 Q. Okay. And the 41 value that it drops back  
 2 down to, do you see that?  
 3 A. Yes, I do.  
 4 Q. What was he doing in June of '98?  
 5 A. I don't know.  
 6 Q. Did you know he just won tour of Tour of  
 7 Luxembourg about three days before that number?  
 8 A. I don't know. I didn't know that.  
 9 Q. Well, then the question is: Don't you need  
 10 to -- I mean, isn't the idea of blood doping that the  
 11 idea is that the athlete would be getting his  
 12 hematocrit up to a high level in preparation for a  
 13 race?  
 14 A. If they chose to.  
 15 Q. Okay. And, in fact, about -- around the time  
 16 of the February test, were you aware that he was in a  
 17 race and did extremely badly, the Rue of Del Sol?  
 18 A. I think we've already covered that. I said I  
 19 didn't know what he was doing.  
 20 Q. Well, at some point you did. Do you remember  
 21 the Rue of Del Sol and Lance's attempt to come back  
 22 and that it failed?  
 23 A. No.  
 24 Q. And do you remember that then he was in  
 25 something called Paris to Nice cycling race, and he --

Page 2436

1 A. And then he broke the record.  
 2 Q. -- dropped out in the prolog?  
 3 ARBITRATOR FAULKNER: Wait. Gentlemen,  
 4 one at a time. We can only hear one of you at a time,  
 5 as can our court reporter.  
 6 A. Just to save a little bit of time for  
 7 everyone, I'll go on the record as saying, I don't  
 8 know what he was doing during this period, so you  
 9 don't need to ask me again.  
 10 Q. (By Mr. Levinstein) Well, we heard all these  
 11 different possible things that can change hematocrit.  
 12 A. Yeah.  
 13 Q. And you don't know which one of these  
 14 factors, how the blood was taken, his posture, all  
 15 those things, at any of those three the blood was  
 16 taken?  
 17 A. What I've also pointed out is that we've now  
 18 got our research to the point where we're comfortable.  
 19 We don't have to take that into account, and you need  
 20 to allow a margin, but that margin is in place. And  
 21 when I look at these values, I look at them based on  
 22 the 3,000 or so samples I've seen, and, yes, that is  
 23 unusual.  
 24 Q. Unusual?  
 25 A. Well, I'm using another word hoping that you

Page 2437

1 might be satisfied with it. Obviously not.  
 2 Q. Well, at your deposition the only tenable  
 3 explanation for this was blood doping, so I'm trying  
 4 to get to -- now, we've also seen in '91, he had that  
 5 same 46.7 value.  
 6 A. He did, yeah. That's not the same test.  
 7 Q. No, no. In 1991.  
 8 A. Okay.  
 9 Q. Do you remember, the early use -- the one in  
 10 Colorado Spring?  
 11 A. That's the same value, yeah.  
 12 Q. So you still think that's really unusual,  
 13 that he got the same value in '91 and he got it again  
 14 in '98?  
 15 A. I think any two tests when you come up with  
 16 the same value to one decimal place is going to be  
 17 unusual, so in that respect, it's unusual but --  
 18 ARBITRATOR LYON: I'm sorry. What did  
 19 you just say? I didn't hear you.  
 20 A. If you -- if you conduct a test and on two  
 21 separate times separated by seven years you get the  
 22 same result to one decimal place, that's unusual.  
 23 Now, it's also what you would expect to see if an  
 24 athlete was using doping at some stages and not at  
 25 others. The value doesn't stay high just because

Page 2438

1 you've doped once. It will come back down to normal  
 2 and go back up if you dope again.  
 3 Q. (By Mr. Levinstein) Well, we're going to put  
 4 on testimony later that there's a much bigger  
 5 variation than you're talking about. But what would  
 6 you say is the normal variation around your normal  
 7 hematocrit?  
 8 A. For an endurance athlete?  
 9 Q. Fine. And --  
 10 A. Okay. I don't know off the top of my head,  
 11 but I can go and find out, if you want.  
 12 Q. About? One point? Two points to -- up and  
 13 down?  
 14 A. It's a -- it's a continuum, so it's not as if  
 15 it falls over one decimal point and say, that's  
 16 suspicious and one below it's not. But, I mean, if  
 17 you saw a change of four percent, it would be, like,  
 18 okay, that's -- that's unusual, but, I mean, it's a --  
 19 the stance that we're advocating at the moment is that  
 20 you recognize the changes, not necessarily ascribe a  
 21 certainty to it, but if a value changes by 3.9 and if  
 22 you have the threshold of saying a four-percent change  
 23 is unusual, and another value changes by 4.1 percent,  
 24 to my mind, I look at it and say, well, I can -- I can  
 25 take that threshold out because a change of that much

Page 2439

1 is not something that I've encountered before.  
 2 And so, I mean, is two percentage  
 3 strange? Is three percent? Four percent? I would  
 4 struggle to give you an -- an exact number where all  
 5 of a sudden I say, that's unusual and that's not. I  
 6 mean, as well, I think if you take into account the  
 7 other values, in particular ferritin here, you begin  
 8 to get a better picture of what's going on.  
 9 So, you know, I -- I can't answer your  
 10 question the way that you want because I just -- I  
 11 don't think it's a -- I don't think I could answer it,  
 12 and that's the best response I can give you.  
 13 Q. So if my hematocrit is normally 43, four  
 14 points higher up to 47 is within the -- or 3.9, is  
 15 what you said was a normal range?  
 16 A. I was giving you that as an example.  
 17 Q. Okay. Well, what is -- let's say my  
 18 hematocrit is 43. What would you expect it to be at  
 19 the low and the high over the next three years when I  
 20 get tested without doing anything?  
 21 A. I -- I was trying to convey to you that  
 22 that's not the stance that I take at the moment. It's  
 23 a continuum, and so I don't say, this percentage point  
 24 equals suspicious. I don't look at results that way.  
 25 Q. That's not the question I'm asking. I'm

Page 2440

1 saying, if -- I'm just a normal person, and I have  
 2 hematocrit of 43. I assume that every time I get my  
 3 hematocrit taken, given diet, altitude, how the  
 4 blood's drawn, the tourniquet, the calibration of the  
 5 machine, et cetera, there's going to be a variability?  
 6 A. Yes.  
 7 Q. Okay. And what the hospital says versus a  
 8 different system that Ferrari might have or what the  
 9 USOC might have, different machines may end up with  
 10 different results?  
 11 A. Yes.  
 12 Q. And it matters how much water I drank; right?  
 13 How hydrated I am affects the whole thing?  
 14 A. Yeah.  
 15 Q. I'm asking you, if over -- let's say, every  
 16 day you took my hematocrit four times a day for the  
 17 next year, what would be the high number and low  
 18 number? Assuming I did no blood manipulation, what  
 19 kind of a range would you expect?  
 20 A. I'll say it once more, I don't use specific  
 21 ranges. It's a continuum. Now, if -- you're asking  
 22 me to take all these factors into account and give me  
 23 the range. Now, if you take out one or two of those  
 24 factors, that range is no longer applicable, and  
 25 that's why I don't believe it's appropriate to say,

Page 2441

1 "X" percent is the range because you can't take those  
 2 factors into account, and so --  
 3 Q. Well -- and you're going to ask this Panel to  
 4 look at hematocrit numbers and consider them evidence  
 5 of whether someone had blood transfusions or used EPO  
 6 or did something improper. And a starting point would  
 7 be because there's variation in the numbers. A  
 8 starting point would be to tell us, the average person  
 9 who does none of those things, how much variation  
 10 would you expect in their hematocrit? And if you  
 11 don't know, that's fine, but if you do know, what  
 12 would you expect?  
 13 A. Are you talking about a hypothetical, or are  
 14 you talking about these values?  
 15 Q. A hypothetical, an average person who's got  
 16 hematocrit of 43 who's a male.  
 17 A. No, no. You said a hypo -- you said, we're  
 18 going to tell all these -- you're going to -- you want  
 19 me to submit this is evidence of --  
 20 Q. No, no, no.  
 21 A. -- blood manipulation?  
 22 Q. No, no. Before we get to looking at Lance's  
 23 numbers. We're going to compare Lance --  
 24 A. That's what I asked you, were you talking  
 25 about these numbers or a hypothetical?

Page 2442

1 Q. We're going to compare Lance's numbers to a  
 2 normal person, let's say; okay? And you're going to  
 3 say that these variations in Lance's numbers have some  
 4 legal significance, that they are evidentiary, that  
 5 you ought to consider that Lance went from 41 to 46  
 6 and consider it suspicious or suggestive of doping.  
 7 A. Well, you were telling me what I'm going to  
 8 say. Is this a hypothetical?  
 9 Q. You've already said that about these three  
 10 numbers -- you said that the 41 -- the 46.7, the 41  
 11 suggests that there's -- suspicions should be raised  
 12 about whether Lance Armstrong was involved in blood  
 13 doping, and you've said the 48 and the 46.7 from '91  
 14 should raise suspicions -- that is, a 20-year-old  
 15 athlete, Lance Armstrong, was involved in improper  
 16 manipulation of his blood. That's what you've  
 17 testified to, as I understand it. Am I incorrect?  
 18 A. You're using words that I haven't used. I  
 19 will use my words, if I may.  
 20 Q. Okay.  
 21 A. Those values, in my opinion, are consistent  
 22 with blood manipulation. Now, if you want to then  
 23 take that and say, well, now you're going to use that  
 24 in a legal case, I would say, now, hang on a minute.  
 25 That's not what I said. You asked me, face value, to

Page 2443

1 look at these results. Now, if you'd then said, well,  
2 now you're going to base a doping sanction on this, I  
3 would have said, to begin with, well, you know, you  
4 asked my opinion. I gave you my opinion.  
5 ARBITRATOR FAULKNER: Okay. Gentlemen,  
6 the Senator needs to leave. This is going to be a  
7 good place to stop. We will be resuming  
8 Cross-Examination at 9:00 in the morning, and then we  
9 will take this up again at that time.  
10 (Proceedings adjourned at 5:00 p.m.)  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

Page 2444

1 STATE OF TEXAS )  
2 )  
3 COUNTY OF DALLAS )  
4 )  
5 I, Kathy E. Weldon, Certified Shorthand  
6 Reporter, in and for the State of Texas, certify that  
7 the foregoing proceedings were reported  
8 stenographically by me at the time and place  
9 indicated.  
10 Given under my hand on this the \_\_\_\_ day of  
11 February, 2005.  
12  
13  
14  
15  
16  
17  
18 \_\_\_\_\_  
19 Kathy E. Weldon, Certified  
20 Shorthand Reporter No. 6166  
21 Dickman Davenport, Inc.  
22 Firm Registration #312  
23 1010 Two Turtle Creek Village  
24 3838 Oak Lawn Avenue  
25 Dallas, Texas 75219  
214.855.5100 800.445.9548  
e-mail: kw@dickmandavenport.com  
My commission expires 12-31-06